

1                   IN THE CIRCUIT COURT  
2                                   FOR  
3                   LOWNDES COUNTY, ALABAMA  
4

5 KENT H. CRENSHAW and  
6 CARMEN W. CRENSHAW,

7                                   Plaintiffs,

8                   Vs.

CIVIL ACTION NO.  
CV-93-75

9 FORD MOTOR COMPANY, et al.,

10                                   Defendants.

11                                   \* \* \* \* \*

12  
13                   DEPOSITION OF DAVID J. BICKERSTAFF,  
14 taken pursuant to stipulation and agreement before  
15 Lisa J. Nix, Registered Professional Reporter and  
16 Commissioner for the State of Alabama at Large, in  
17 Room 316, Ritz Carlton, Dearborn, Michigan, on  
18 Friday, September 30, 1994, commencing at  
19 approximately 12:20 EDT.  
20

21                                   \* \* \* \* \*

1 APPEARANCES

2

3 FOR THE PLAINTIFF:

4 J. Greg Allen, Esq.  
5 Beasley, Wilson, Allen,  
6 Main & Crow  
7 Attorneys at Law  
8 218 Commerce Street  
9 Montgomery, Alabama

10 Tyrone C. Means, Esq.  
11 Thomas, Means & Gillis  
12 Attorneys at Law  
13 3121 Zelda Court  
14 Montgomery, Alabama

15 FOR THE DEFENDANT:

16 James D. Hinga, Esq.  
17 Parcel, Mauro, Hultin  
18 & Spaanstra, P.C.  
19 Attorneys at Law  
20 Suite 3600  
21 1801 California Street  
22 Denver, CO 80202

23 \* \* \* \* \*

INDEX

17	EXAMINATION	PAGE
18	By Mr. Allen .....	4
19	EXHIBIT	PAGE
20	PX-1 11/17/82 Program Report re: Bronco	
21	II Handling and Stability .....	94
22	PX-2 Drawing prepared by David	
23	Bickerstaff .....	212

\* \* \* \* \*

STIPULATION

1           It is hereby stipulated and agreed by and  
2  
3           between counsel representing the parties that the  
4           deposition of DAVID J. BICKERSTAFF is taken  
5           pursuant to the Alabama Rules of Civil Procedure  
6           and that said deposition may be taken before  
7           Lisa J. Nix, Registered Professional Reporter, and  
8           Commissioner for the State of Alabama at Large,  
9           without the formality of a commission, that  
10          objections to questions other than objections as to  
11          the form of the question need not be made at this  
12          time but may be reserved for a ruling at such time  
13          as the said deposition may be offered in evidence  
14          or used for any other purpose by either party  
15          provided for by the Statute.

17          It is further stipulated and agreed by and  
18          between counsel representing the parties in this  
19          case that the filing of said deposition is hereby  
20          waived and may be introduced at the trial of this  
21          case or used in any other manner by either party  
22          hereto provided for by the Statute regardless of  
23          the waiving of the filing of the same.

HAISLIP, RAGAN, GREEN, STARKIE & WATSON, P.C.  
(205) 263-4455

1           It is further stipulated and agreed by and  
2 between the parties hereto and the witness that the  
3 signature of the witness to this deposition is  
4 hereby not waived.

5                           \* \* \* \* \*

6                           DAVID J. BICKERSTAFF

7           The witness, after having first been duly  
8 sworn to speak the truth, the whole truth, and  
9 nothing but the truth testified as follows:

10   EXAMINATION

11 BY MR. ALLEN:

12       Q.   Give us your full name, please, sir.

13       A.   David John Bickerstaff.

14       Q.   And your current address?

15       A.   3755 Indian Trail, Orchard Lake, Michigan  
16           48324.

17       Q.   Where is Orchard Lake in relation to  
18           Detroit?

19       A.   It's a northwest suburb of Detroit.

20       Q.   How long have you lived in, I'll say,  
21           Michigan?

22       A.   Since 1972.

23       Q.   Where are you from originally?

1 A. England.

2 Q. Did you move from England to Detroit?

3 A. I lived in England and then came to Detroit  
4 for a scholarship with GM and then went back  
5 in 1970. And then two years later, I came  
6 back here.

7 Q. What were the inclusive dates that you were  
8 with GM?

9 A. Let's see if I can remember. Okay. It  
10 would have been 19 -- I think 1964 to  
11 1970 -- the end of 1971.

12 Q. Okay. And what department --

13 A. '72? Yeah.

14 Q. Are you finished?

15 A. Go ahead. '71 or '72, somewhere around  
16 there.

17 Q. What department were you in with GM?

18 A. I was an undergraduate engineer trainee, and  
19 I also worked in tires and tire design and  
20 vehicle development and testing and then --  
21 while I was at Vauxhall, England, which is a  
22 part of GM.

23 I then came here in 1970 for a

1 scholarship, to GMI, and I worked with  
2 Chevrolet and worked in the proving grounds  
3 on various testing. And I worked in the  
4 Chevrolet Corvette group on the handling of  
5 the Corvette. And I worked in suspension  
6 design, designing stabilizer bar systems.  
7 And I obviously went to GMI at the same  
8 time.

9 Then I went back to England. And then  
10 I was responsible for ride and handling and  
11 chassis and vehicle -- general overall  
12 vehicle tests and testing for Vauxhall,  
13 which was cars and light trucks and some  
14 heavy trucks.

15 Q. What types of cars were being produced?

16 Is there a plant in England?

17 A. Small cars --

18 There's a plant in England.

19 Q. Okay.

20 A. And all manner of cars: small cars,  
21 full-size cars, small vans, parcel delivery  
22 vans, vans like the Econoline called the  
23 Bedford CF, and various trucks.

1 Q. Were you in charge of -- I say in charge.

2 Were you working in suspension and design --

3 A. No. I was an engineer in testing, and I

4 tested suspensions and tires and handling,

5 road test procedures, and wrote test reports

6 and that type of thing.

7 Q. So did you have a proving ground in

8 England --

9 A. Yes.

10 Q. -- for those tests?

11 A. Yes, we did. That's what I did. When I

12 went back after my year at GMI, we had a

13 brand new proving ground, so I went there to

14 set up handling and testing, other

15 procedures.

16 Q. Did you run actual J-turn tests and

17 maneuvers?

18 A. We did some J-turn tests at reduced tire

19 inflation to see if we could unseat the

20 beads of tires in the J-turn tests. We did

21 explosive tests with explosives on tires so

22 we could blow a tire up and measure a

23 vehicle's handling performance and

1 straight-line stability with tires blown up.

2 I invented some ways to do that that we used  
3 on the tire.

4 Q. You did that for how many years?

5 A. A couple of years.

6 Q. Two years?

7 A. Right.

8 Q. Then did you come back to GM in the United  
9 States after that?

10 A. Well, my wife is American, and I married her  
11 while I was at GMI. And so she really  
12 wanted to come home. So the end of --  
13 Actually, at the end of 1972, we came over  
14 here and I started working with -- with Ford  
15 in 1973, I think it was.

16 Q. Give me the inclusive dates that you were  
17 with Ford, first of all.

18 A. I was with Ford from 1973, January I think  
19 it was, until the end of July 1982.

20 Q. And give me sort of a progression, if you  
21 don't mind, while in the Ford organization  
22 during that time frame.

23 A. The first -- The first period of time was



1 spent in light truck vehicle development,  
2 and I did work on slide in campers and  
3 vehicle rollover, the response to a docket  
4 on future rulemaking from the truck point of  
5 view on -- I think it was 73-10. I don't  
6 remember the exact number, but --

7 I worked on various handling test  
8 procedures, trying to make objective  
9 handling test procedures where we could  
10 instrument vehicles and measure certain  
11 response characteristics.

12 I did testing on tires with multiple  
13 tire suppliers. I developed new skid  
14 trailers to measure the coefficient of  
15 friction between the road and the tire.  
16 Wrote a couple of papers.

17 And then I was promoted into the fuel  
18 economy group, which I had no prior  
19 background in, to help Ford to become leader  
20 of fuel economy.

21 Q. Let me back up. On that first position,  
22 what would your job title have been?

23 A. Light truck development engineer. I was a

1 grade -- a grade seven. And then I was  
2 promoted during that time to a grade eight  
3 engineer, salary grade eight engineer, which  
4 would be the highest engineer you can be  
5 without having people reporting to you at  
6 that time.

7 Q. Who was your supervisor while you were  
8 with -- light truck engineer?

9 A. There were several supervisors, but  
10 basically the chain of command was Russ  
11 Gallerno, Harry Kert, and Hal Smith.

12 Q. Tell me their positions if you don't mind.

13 A. Russ Gallerno was the supervisor -- was the  
14 group leader. Harry Kert was the  
15 supervisor, and Hal Smith was the manager.

16 Q. Did that same group have any  
17 responsibilities of the Bronco II?

18 A. Bronco II wasn't even in existence at that  
19 time.

20 Q. But I mean later, did they have  
21 responsibility?

22 A. Well, the organization changed a little bit.  
23 But later on, they had responsibility to

1 test the Bronco II using some of the stuff  
2 that -- some of the procedures and things  
3 that we had developed --

4 Q. Okay.

5 A. -- some of the protocols that we had  
6 developed.

7 Q. Let's back up, now. You were a grade seven,  
8 then a grade eight. Where did you go from  
9 there?

10 A. Then I was promoted to a salary grade nine,  
11 and I had fuel economy responsibility. It  
12 was called the fuel economy optimization  
13 group. And I had, I don't know, half a  
14 dozen engineers reporting to me. And we  
15 calculated, tracked, and monitored the fuel  
16 economy of Ford's vehicles.

17 Q. How long did you do that?

18 A. Probably a little over a year, year and a  
19 half. And then -- then I was promoted to a  
20 salary grade ten, and I was in charge of  
21 vehicle programs.

22 Q. What was your title at that time?

23 A. Still, I think it was called, a group

1 leader. So the title didn't change. So I  
2 was group leader of fuel economy  
3 optimization group as a nine. And then I  
4 got promoted as grade ten to the group  
5 leader of Ford vehicle programs.

6 And my responsibility there was to look  
7 at all the future vehicle assumptions for  
8 truck programs -- for light truck programs,  
9 particularly -- and do engine package work  
10 and suspension package work and resolve  
11 cross-functional issues between various  
12 organizations within the truck group.

13 So I would be responsible for  
14 identifying any problems with, say, fitting  
15 a new engine into a given vehicle. And I'd  
16 coordinate the information necessary to the  
17 various design activities so that they could  
18 design and release the various components.

19 I was kind of a coordinator.

20 Q. Okay.

21 A. I was also responsible for weight and  
22 tracking weight. And we were aggressively  
23 looking at potentially downsizing vehicles

1 to meet impending future fuel economy  
2 regulations, of course, which I became aware  
3 of while I was doing fuel economy.

4 Q. Is that the CAFE standards?

5 A. The CAFE standards, which were being  
6 promulgated toward the end of the seventies.

7 And I was in that position for about a  
8 year, and I got promoted again. And this  
9 time I was promoted to be head of a group  
10 that was called fuel economy and power train  
11 planning. And now I was the supervisor of  
12 that group.

13 And, in particular, I developed vehicle  
14 simulations of complete vehicles and made  
15 recommendations on axle ratios and  
16 transmissions and engines for the future to  
17 improve fuel economy and meet fuel economy  
18 standards for the future. And that was a  
19 salary grade eleven position.

20 And I did that for a couple of years,  
21 and then I asked for a transfer into -- back  
22 into suspension design. So I kind of went  
23 back to my core business.

1 Q. And what year was that?

2 A. That would have been 1980.

3 Q. Okay.

4 A. Actually, I'm just trying to think. I think  
5 1979, late 1979. I don't remember the exact  
6 year. But anyway, I was in there for a  
7 couple years. And then I quit, left the  
8 company.

9 Q. Well, what year did you leave the company?

10 A. In the middle of 1982, the end of July of  
11 1982.

12 Q. Okay. What was your actual position or job  
13 title between late 1979 and mid 1982?

14 A. I was suspension design supervisor. I was  
15 responsible for all light -- all light truck  
16 suspension design and release.

17 Q. Would that include the Bronco II?

18 A. Yes.

19 Q. Prior to your taking that position, was the  
20 Bronco II in any development stage?

21 A. It was what we would call an advanced  
22 vehicle concept. It was in advanced -- in  
23 the advanced phase. It had not been

1 approved prior to that.

2 Q. When was it first approved?

3 A. I think it was approved toward the end of  
4 1980.

5 Q. Sometime in late 1980?

6 A. Sometime in late 1980.

7 Well, there are several stages to  
8 approval. There's not a definitive, it's  
9 approved, go. There's, okay, we intend to  
10 do this, you know, go ahead and start the  
11 next step. And then there are various  
12 checkpoints as the program proceeds.

13 Q. What group has to make the ultimate decision  
14 of a vehicle concept before it's put into  
15 the design phase?

16 A. Every effect to design activity.

17 Q. Who would primarily have had responsibility  
18 for the Bronco II?

19 A. Every supervisor that had a component that  
20 would have been unique to the Bronco II had  
21 to design it, release it, and sign -- and  
22 approve it, so --

23 Q. I'm talking about the vehicle concept. I

1 mean, who -- what group, if any, decided,  
2 okay, we're going to build a utility vehicle  
3 to compete with other vehicles?

4 A. The light truck product planning group had  
5 the primary responsibility for determining  
6 what vehicles we needed to produce.

7 Q. Light truck planning group?

8 A. Light truck product planning I think it was  
9 called.

10 Q. And you think that would have -- their  
11 decision would have come at what year as to  
12 the Bronco II?

13 A. I think they were -- probably had been  
14 working on it for about a year and -- as a  
15 concept, and then it would have been towards  
16 the tail end of 1980 that -- let's proceed  
17 to -- we need this vehicle; let's proceed to  
18 do this vehicle.

19 Q. Who would have been the person in charge of  
20 the light truck planning group?

21 A. I don't remember how it completely  
22 transitions, but the names that come to mind  
23 are Greg Rouke. I don't know if George



1 Baumgartner was involved at that time or  
2 not. And I think he worked for Jim Funk.  
3 And Funk may have even moved at that time,  
4 also. I'm just trying to remember. There  
5 was another gentleman, Jim Englehart.

6 Q. Would this particular group that you  
7 mentioned be a part of engineering or would  
8 it be a part of marketing within the Ford  
9 organization?

10 A. Actually, the way Ford's product development  
11 groups were broken down at that time, there  
12 was the car organization, car product  
13 development, and then truck product  
14 development, which was actually -- has had  
15 various names, but truck/recreation products  
16 organization.

17 The truck and recreation products  
18 organization is a distinct and separate  
19 entity from the marketing arm and the sales  
20 and distribution arm of the company, but  
21 interfaces with them.

22 So they -- For example, if you're going  
23 to design a new vehicle, they might come up

1 with the vehicle, and they would have to  
2 talk separately to people in marketing to  
3 determine how many we might sell. And  
4 before the program would be approved, they  
5 would make some estimates of that.

6 Q. Wouldn't some marketing aspect of Ford make  
7 the decision, we want a utility vehicle to  
8 compete with these other utility vehicles?

9 A. It's kind of a team decision, I think. I  
10 think the product planners might say, I  
11 think we need it. Hey, marketing, what do  
12 you think? Marketing might also say, hey,  
13 we need it. Why don't you guys design one?  
14 Or they might both be sitting in a meeting  
15 and say, why don't we have one of these?  
16 Let's study it.

17 So I don't think it's absolutely clear,  
18 exactly, who comes up with the need. It  
19 could come several ways. Or we might have  
20 said we needed it when I was in the fuel  
21 economy group to meet a fuel economy  
22 standard. You know, we need a vehicle in  
23 this segment to replace the bigger

1 four-wheel drive vehicle with a smaller  
2 four-wheel drive vehicle or a smaller  
3 utility vehicle.

4 Q. So you don't know exactly who it was within  
5 the organization that made the decision --

6 A. Or even if it was a who.

7 Q. Are any members of marketing on the light  
8 truck product planning group?

9 A. Well, there are people that have marketing  
10 backgrounds in the light truck product  
11 planning group. But Ford has its own  
12 marketing arm -- or at that time I believe  
13 had its own marketing arm.

14 Q. Well, who would the people have been that  
15 would have the marketing background on the  
16 group?

17 A. I personally didn't really have anything to  
18 do with those people. I had very little to  
19 do with them. So I don't remember the names  
20 of the people who would have had the  
21 marketing experience.

22 Q. Did you maintain your capacity as the  
23 suspension design supervisor for the full

1 time you were there between 1979 and mid  
2 1982?

3 A. Yes.

4 Q. So when you left Ford, you had that title, I  
5 guess?

6 A. Light truck suspension design supervisor,  
7 yes.

8 Q. Give me the chain of command within your  
9 department at --

10 A. I reported to Fred Drotar. Drotar reported  
11 to Fred Parrill. Fred Parrill reported to  
12 Henry Potoczak. Henry Potoczak, I think,  
13 retired sometime during this period and was  
14 replaced by Dan Rivard.

15 Q. Can you spell Potoczak for me?

16 A. P-O-T-O-C-Z-A-K, I think. Phonetic.

17 MR. HINGA: P-O-T or D?

18 THE WITNESS: P-O-T. T as in  
19 teddy bear.

20 Q. And then you said Potoczak retired and was  
21 replaced by whom?

22 A. Was replaced by Dan Rivard. And then I  
23 believe Dan Rivard reported to Ed

1 Hagenlocker. And Ed Hagenlocker reported  
2 to --

3 Q. If you can, spell Hagenlocker so we can make  
4 sure we get the names correct.

5 A. H-A-G-E-N-L-O-C-K-E-R.

6 Q. Okay.

7 MR. HINGA: I just want to make a  
8 statement for the Record.

9 David is appearing here  
10 pursuant to your notice of  
11 30(b)(6) on certain designated  
12 topics. What you're doing  
13 right now is generally  
14 background as I understand it.

15 But as to some of the  
16 dates, there are documents that  
17 reflect some of the dates that  
18 you've been asking about, and I  
19 would rather have those  
20 documents speak for a specific  
21 date rather than  
22 Mr. Bickerstaff as a 30(b)(6)  
23 deponent.

1 MR. ALLEN: Okay.

2 Q. Let's go on up the chain of command, if you  
3 can, from Mr. Hagenlocker.

4 And again, we're still talking about  
5 light truck?

6 A. Well, now we're in -- yes, we're still  
7 probably in light truck.

8 Q. Okay.

9 A. I think Hagenlocker had responsibility for  
10 light and heavy truck. I don't remember  
11 exactly. And then it would have gone up the  
12 chain. Somewhere along the line, he would  
13 have reported, I think, to Poling, Red  
14 Poling.

15 Q. Red Poling?

16 A. Um-huh. (Positive response.)

17 Q. How is his name spelled?

18 A. P-O-L-I-N-G? Maybe it's two L's. Might be  
19 one.

20 Q. What was Mr. Polling's position?

21 A. I think he was the chief -- chief financial  
22 officer and maybe even chief executive  
23 officer. We didn't have a lot to do with

1 people at that level, so --

2 Q. I understand.

3 And then Ed Hagenlocker, what would his  
4 position have been?

5 A. I think he would have been a vice-president.

6 Q. And Mr. Potoczak before he retired would  
7 have had what position in that time frame?

8 A. Mr. Potoczak and Mr. Rivard were chief  
9 engineer. And Fred Parrill was executive  
10 engineer. And Fred Drotar was manager,  
11 brakes, steering, and suspension design.

12 Q. And we've got David Bickerstaff. Would you  
13 have supervised any engineers at that time?

14 A. About thirty engineers. It varied from  
15 twenty to thirty. There were several group  
16 leaders and a number of engineers.

17 Q. What types of -- What categories of design  
18 would they have had responsibility for?

19 A. Well, they were all degreed -- mostly  
20 degreed engineers or very experienced  
21 engineers, and they were responsible for  
22 designing all the suspension components.  
23 They, in turn, indirectly supervised various

1 designers and various testing activities and  
2 directed various suppliers.

3 Q. Okay. Well, how was it broken down for  
4 these -- among these thirty engineers?

5 A. Well, I had a rear suspension group and a  
6 front suspension group. And then -- See, I  
7 had the F Series, Econoline, Arrow Star,  
8 Ranger, and Bronco, current and past, and  
9 all new designs.

10 So we changed the organization based on  
11 the workload fairly often, and it was really  
12 broken down by component responsibility.  
13 Like one guy might have leaf springs for the  
14 F-250 and 350, and another guy might have  
15 the -- like Max Moore, for example, he might  
16 have the rear suspension on the Ranger. And  
17 Tom Mast might have the front suspension on  
18 the Ranger and the Bronco II, and somebody  
19 else might have wheel bearings and seals.

20 Q. Are you just giving me examples?

21 A. Those are actual examples.

22 Q. So those people did have that responsibility  
23 that you just mentioned?



1 A. If you take all the pieces that make up the  
2 suspension, then I divided them up so  
3 somebody was responsible for every  
4 component --

5 Q. Okay.

6 A. -- for every vehicle we currently made and  
7 every vehicle we were planning on making.

8 Q. Well, who would have been the person under  
9 you who would have the primary  
10 responsibility -- who was primarily  
11 responsible for, say, the front suspension  
12 of the Bronco II?

13 A. Tom Mast.

14 Q. Is he still with Ford?

15 A. Yes, he is.

16 Q. Who would have had responsibility for the  
17 tires for the Bronco II?

18 A. Okay. The tires came under another  
19 department, although we had some effect on  
20 those. And it was under -- Chuck White was  
21 the manager and, I believe, Larry Schrock,  
22 S-C-H-R-O-C-K.

23 Q. And who had the rear suspension

1 responsibility for the Bronco II?

2 A. It varied. It transitioned, but Rich Antoun  
3 as -- when I was leaving, and John Dziuba,  
4 D-U-Z-U-I-B-A. And under him, Max Moore.

5 Q. Who would have had the primary -- the  
6 responsibility, say, for testing of the  
7 Bronco II as it relates to handling or  
8 stability?

9 MR. HINGA: Excuse me. What do  
10 you mean by testing? Physical  
11 testing or something else?

12 MR. ALLEN: Testing as to handling  
13 and stability.

14 A. Testing the vehicle, there are two kinds of  
15 testing we did. One was what I call  
16 computer-aided engineer, CAE, which would be  
17 on the computer. That was my  
18 responsibility, and I assigned that to Rich  
19 Antoun.

20 And the other kind of testing, which is  
21 done with physical vehicles, was done  
22 with -- by the light truck development  
23 department.

1 Q. The what?

2 A. The light truck development department, the  
3 same department I started my career with  
4 Ford with.

5 Q. Who was in charge of that group?

6 A. Well, it would have been Hal Smith, Harry  
7 Kert, Jim McClure.

8 Q. Okay. So --

9 A. Manager, supervisor, group leader I just  
10 gave you.

11 Q. Okay. Would they have had the  
12 responsibility for the testing that was done  
13 on the proving grounds?

14 A. Yes.

15 Q. What were the inclusive dates of testing on  
16 the proving grounds for the Bronco II?

17 A. Which particular -- Which Bronco II do you  
18 mean? Any derivative, workhorse, any kind  
19 of prototype at all?

20 Q. Yes. Let's start with the prototype and  
21 move through -- up until the time you left.  
22 And if you know from the time you left --

23 A. Well, in early -- probably early -- mid to

1           early '81, we started to get various  
2           prototypes at different levels, and we would  
3           start testing them. Some of the tests would  
4           be done stationary, in the lab. And that  
5           would go on through -- right up until and  
6           even beyond Job One.

7       Q.    So there were tests on the proving grounds  
8           up through 1983?

9       A.    Probably through 1988. I mean, however long  
10          that vehicle was produced. I'm sure there's  
11          some testing that goes on.

12      Q.    On the proving grounds?

13      A.    Sure.

14      Q.    Would that include maneuver tests: ramp  
15           steer, reverse ramp steer?

16      A.    Those are kind of special tests that you do.  
17           There are various ranges of tests. Some  
18           tests are standard, and some tests you may  
19           do for various reasons that are not always  
20           done on every vehicle.

21      Q.    What I'm asking about, the maneuver tests or  
22           the ramp steer, reverse ramp steer, were  
23           they done from the conception of the vehicle

1 through and including the Job One and even  
2 to the time it was --

3 A. I believe there were some kind of ramp steer  
4 tests done, not necessarily the same exact  
5 test all the time. But there were  
6 steering -- There were tests done with  
7 steering inputs that would be ramp inputs  
8 probably throughout the development of the  
9 vehicle.

10 Q. Would there be documents and films and all  
11 of that that would show all of the ramp  
12 steer tests and maneuvers that were done?

13 MR. HINGA: Object to the form of  
14 the question. Compound.

15 A. Not necessarily. There may be. Some may be  
16 reports. Some may be summarized. Filming  
17 might be done if we wanted to demonstrate a  
18 visible correlation to a model. Filming was  
19 not a normal -- Video was not really in its  
20 heyday then. It was film that you had to  
21 do, and it was a pretty tedious process. So  
22 we didn't film a whole lot of stuff back  
23 then.

1 Q. Are you aware of any films of any of the  
2 ramp steer tests or the J-turn tests that  
3 were done between 1982 and 1989 at Ford?

4 A. I believe there was some filming done. I  
5 left -- Any filming that was done after July  
6 of 1982 I'm not specifically familiar with,  
7 but I believe there was some filming done.  
8 And we did do some -- I do remember some  
9 filming being done on J-turn tests while I  
10 was -- while I was there. There were some  
11 films made.

12 Q. Would the filming done after you left -- I  
13 mean, what opportunity, I guess, would you  
14 have had to review that?

15 A. Only in conjunction with various depositions  
16 and trials where somebody may have shown me,  
17 have you seen this before? So all of the --  
18 Most of it I've seen for the first time as a  
19 result of these various depositions, jury --  
20 the trials I've been involved with.

21 Q. After you left Ford in '82, where did you  
22 go?

23 A. I was -- I got a job as vice-president of

1           engineering for a company called Ferro  
2           Manufacturing, F-E-R-R-O. And they made  
3           body hardware and seat tracks, recliners,  
4           various window regulator mechanisms, various  
5           latches and remotes.

6       Q.   How long did you work there?

7       A.   I worked for them for a little under two  
8           years.

9       Q.   And where did you go then?

10      A.   Well, I had had it with big companies and  
11           small companies, so I formed my own.

12      Q.   And the name of that company?

13      A.   DJB&A, Inc. David J. Bickerstaff and  
14           Associates, Inc.

15      Q.   Who was your supervisor at Ferro?

16      A.   Jim Stewart, the president of the company.

17                           (Brief interruption.)

18      Q.   Where is Ferro Corporation?

19      A.   Ferro Corporation was a multi-plant company  
20           that was headquartered in Detroit that had  
21           evolved since the turn of the century. It  
22           was a pretty old company. And I left  
23           because they were acquired by another

1 company.

2 Q. What company or person?

3 A. Johnson Controls.

4 Q. Did you resign, or what was your -- the

5 circumstances of your leaving Ferro?

6 A. I didn't agree with what they were doing and

7 how they were treating their customers, and

8 they wouldn't change to what I wanted to do.

9 And I realized I wouldn't survive as a

10 vice-president when the company was

11 acquired, so I left.

12 Q. Is Mr. Stewart still around? Is he still

13 with that company?

14 A. Yes, he is.

15 Q. Is he here in Detroit?

16 A. Oh, not still with that company, no. All

17 the vice-presidents of that company are no

18 longer with that company. So I made a good

19 decision.

20 Q. Where is Mr. Stewart now?

21 A. Mr. Stewart has -- got a large sum of money

22 as a result of the settlement on that

23 company and has had various business



1 ventures and resides between Naples and St.  
2 Clair -- I'm trying to remember. Somewhere  
3 on the river that goes up to Port Huron.  
4 Between St. Clair -- Between St. Clair and  
5 Port Huron.

6 Q. I'm not familiar with --

7 MR. MEANS: Michigan.

8 A. Michigan.

9 (Off the Record discussion.)

10 Q. Now, what does DJB&A stand for?

11 A. It's my initials, and I have some  
12 associates.

13 Q. Who are your associates?

14 A. My employees and a couple of guys that I  
15 hire once in a while to help me out.

16 Q. What is the business -- nature of the  
17 business?

18 A. We've -- We're management consultants. Idea  
19 generators, problem solvers, engineers. And  
20 we're who you call if you can't make  
21 something happen in the automotive business.

22 Q. Primarily, who would you deal with? Who  
23 would your customers be?

1 A. We've dealt with Ford a lot recently. We've  
2 dealt with the Budd Company, with American  
3 Sunroof Company, with GM White, Volvo. With  
4 Maumack, Rockford Products, Schlaegel.

5 Q. Give me some idea of what you do for those  
6 companies.

7 A. Well, we've -- we've done quality training  
8 programs to teach them how to get quality,  
9 to educate their work forces.

10 We've provided strategic planning  
11 services for their management, to refocus  
12 their company's efforts so they can meet  
13 automotive requirements in the future.

14 We've done design contracts, where  
15 we've designed products using advanced  
16 computer-aided methods and solid modeling.

17 We've analyzed data, collected data,  
18 done market research. Taught them how to  
19 use electronic methods to manage their  
20 business operations. We've run, you know, a  
21 host of different seminars.

22 More recently, we -- the client comes  
23 to us now. We have a very comprehensive

1 training facility, so the client tends to  
2 come to us now; whereas, the first six years  
3 of our business, I tended to be on the road  
4 all the time.

5 Q. Do you also testify in litigation?

6 A. Only because I have to.

7 Q. Are you under subpoena here today?

8 A. Not really. Ford -- I am quite  
9 knowledgeable about what happened in the  
10 course of the development of these vehicles,  
11 and so I have -- as a minor part of what I  
12 do, I testify when required.

13 Q. Well, do they compensate you for your time?

14 A. I get compensated one way or another, yes.

15 Q. What's your fee for testifying for Ford?

16 A. Five thousand a day. It's the same fee as  
17 my consulting.

18 Q. How many cases would you say you've

19 consulted with Ford on since you left?

20 A. Maybe -- I'm aware of -- The stuff that I've  
21 actually consulted on or been compensated  
22 for, maybe ten.

23 Q. How many have you consulted on?

1 A. I don't know the exact number, but maybe  
2 ten.

3 Q. How many times have you been deposed?

4 A. That's a combination of the depositions and  
5 trials. So all the cases I've been deposed  
6 except for one, I think, and there's been, I  
7 think, four trials.

8 Q. How many depositions have you given?

9 A. I don't know the exact -- I haven't kept  
10 count of them. There may be ten, maybe a  
11 dozen.

12 Q. When was the first deposition that you gave  
13 in any products liability case?

14 A. Three or four years ago? Three years ago?

15 Q. Do you recall? What case was that?

16 A. I don't remember.

17 Q. Don't remember the name of the plaintiff?

18 Have you testified in any other case  
19 other than Bronco II cases?

20 A. No.

21 Q. I'm sorry?

22 A. No.

23 Q. And the employees that work for your

1 organization, do any of them work in the  
2 litigation area?

3 A. No.

4 Q. So I take it that you've never testified on  
5 behalf of a plaintiff in any case?

6 A. I don't think I've ever testified on behalf  
7 of a plaintiff, no.

8 Q. Would the five thousand dollars a day  
9 include, for example, meeting with the  
10 attorney before a deposition?

11 A. It might.

12 Q. For example, prior to this deposition, did  
13 you meet with Mr. Hinga?

14 A. For about two minutes.

15 Q. What did you charge for that?

16 A. I don't charge by the minute or the hour. I  
17 mean, I've got plans to do things for a day.  
18 And if you screw up my day, it costs you  
19 five thousand bucks. And I've been quite  
20 straightforward in saying that in every  
21 deposition I've done. It's a real problem  
22 for me to be out. I have a major business  
23 to run. I'm trying to grow it and I've got

1 a big investment, and being here takes away  
2 from that.

3 Q. Well, I just want to make sure where we  
4 stand.

5 So you didn't charge for yesterday? Is  
6 that what you're saying?

7 A. I didn't do any work for Ford yesterday --  
8 on depositions, anyways.

9 Q. And trial testimony, would the same fee  
10 apply?

11 A. Same fee, yeah.

12 Q. And you say you've probably given a dozen --  
13 ten to twelve depositions in the Bronco II  
14 area. Have there been other cases that  
15 you've looked at that Ford asked you to look  
16 at that you didn't give a deposition in?

17 A. You mean not regarding Bronco II?

18 Q. No, regarding Bronco II.

19 A. Well, the pro forma is that they  
20 occasionally send me stuff on other trials  
21 that they think may require a deposition.  
22 And I generally don't look at it until the  
23 day before, if there's going to be a

1 deposition, because they generally get  
2 cancelled about half of the time, so it's a  
3 waste of time.

4 So the answer is yeah, I'm aware of  
5 other stuff, but I -- I typically haven't  
6 spent that much time on it.

7 Q. Okay. Well, I'm just trying to get some  
8 concept of how many cases that they've sent  
9 to you to look at that may potentially  
10 result in you giving a deposition or  
11 testifying at trial.

12 A. Well, there might be -- there might be  
13 twenty names that I've heard associated with  
14 cases, of which ten have resulted in  
15 depositions or trials, somewhere in that  
16 neighborhood.

17 Q. How many trials have you testified in?

18 A. Four.

19 Q. In any of those cases, do you sit in as the  
20 corporate representative?

21 A. I'm not sure exactly how I was represented  
22 there. One of those I may have been a fact  
23 witness on, but I think the other three

1           were -- I was an expert or classified as an  
2           expert. I don't understand what the subtle  
3           differences are.

4       Q.   Well, I'm thinking in terms of someone who  
5           comes in for the corporation and sits there  
6           during trial. Have you ever done that in  
7           any of the cases?

8       A.   No.

9       Q.   So you just come in and testify and leave?

10      A.   I just come in and do my stuff and leave,  
11           yes. I've never sat through a full trial.

12      Q.   That was the question.

13                    Did you testify in the Denny trial up  
14           in New York?

15      A.   Yes, I did.

16                    (Brief interruption.)

17                    (Brief recess was taken.)

18      Q.   Just so I'll be clear, the first time that  
19           you testified in a Bronco II case would have  
20           been after you had left Ford?

21      A.   Yes.

22      Q.   And I want to make sure. You've not  
23           testified in any other case other than the



1           Bronco II cases as far as being an expert or  
2           a corporate representative?

3       A.    I don't think I've testified in any other  
4           cases.  I think I may have done some  
5           reconstruction work in a latch case a long  
6           time ago, but --

7       Q.    Was that with --

8       A.    I don't think I ended up testifying, I don't  
9           think.

10      Q.    Was that after you left Ford as well?

11      A.    Yes.

12      Q.    Was that latch case on behalf of Ford or  
13           some other --

14      A.    No, it was just a friend of mine.

15      Q.    Who was your friend?

16      A.    A guy by the name of Andy Gilberg.

17      Q.    Was he an attorney?

18      A.    No.  He was a forensic expert.

19      Q.    And you say you don't think you testified in  
20           that case?

21      A.    I didn't testify.  I don't remember doing a  
22           deposition or anything, but I did provide  
23           some information.

1 Q. With that one exception, though --

2 A. Nothing.

3 Q. -- all the other cases would have been  
4 Bronco II cases?

5 A. Everything I've done has been Bronco II.  
6 I've never been asked to look at anything  
7 else.

8 Q. You've not looked at any of the Ranger  
9 cases?

10 A. No.

11 Q. Did you have any responsibility for the  
12 suspension system of the Ranger vehicle?

13 A. I had responsibility for all suspension  
14 systems. When I had come into the  
15 suspension design department, the Ranger  
16 suspension was already halfway there,  
17 already halfway designed. So my job then  
18 was to make sure it was tested and the  
19 components were released. And I did get  
20 involved with suspension components for the  
21 Ranger.

22 Q. But you've not testified in any of those  
23 cases?

1 A. No, I haven't.

2 Q. Is there any type of agreement with your  
3 company -- between your company and Ford  
4 about testifying in the Bronco II cases?

5 A. What do you mean by an agreement?

6 Q. Either oral or written.

7 A. Well, I've told them I'll do it, and I'd  
8 like them to restrict it as much as possible  
9 and work it into my schedule as much as  
10 possible and pay me my standard rate.

11 Q. But there's no written contract between you  
12 and Ford, you being you and your  
13 organization and Ford?

14 MR. HINGA: Concerning testimony?

15 MR. ALLEN: Or anything.

16 A. I think there may be some letters that I've  
17 sent in to say, you know, this is what I  
18 expect. I mean, maybe once I wrote a  
19 letter.

20 Q. But there's no written contract?

21 A. No, not -- if you mean, you know, a  
22 full-blown contract. I don't have a written  
23 contract with anybody.

1 Q. Well, I'm just asking.

2 A. No.

3 Q. If you don't, that's fine.

4 When I say you, I'm talking about DJB

5 and Associates.

6 A. No. We get purchase orders. We do the job.

7 We get paid.

8 Q. Since you've left Ford, have you had a

9 chance in working on any of these cases to

10 look at or review any documents that have

11 been generated since the time you left Ford?

12 A. I've seen some documents that were generated

13 since I left, yes.

14 Q. So would you be familiar with any changes in

15 the design as it would relate to handling

16 and stability from the time that you left?

17 A. I'm aware of a few things, not necessarily

18 in specific detail. But I can, you know,

19 look at one of the reports and understand if

20 that report affects something that changed

21 and interpret the report. But I haven't

22 gone through and studied every document that

23 was written since I -- since I left the

1 company.

2 Q. But you have a general understanding of the  
3 changes that were made in handling and  
4 stability?

5 I'm going to ask you --

6 A. General --

7 Q. -- about it, so I want to find out what the  
8 basis of your knowledge is.

9 A. I have some -- some understanding.

10 Q. When was the first Bronco II produced?

11 A. The date that it went into production?

12 Q. Yes.

13 A. I think it was 1983 and a half or 1983 and  
14 three-quarters. I don't know the exact -- I  
15 didn't really follow the exact date that the  
16 vehicle went into production.

17 So it would have been -- it would have  
18 been planned -- If it was 1983 and a half,  
19 it would have been planned to have been  
20 produced towards the end of 1982, I guess,  
21 early '83, somewhere in that time frame.

22 Q. In some of the documents I've seen the term  
23 Job One used. Is that the first -- the date

1           that they planned to produce the first  
2           vehicle?

3       A.    That's the date -- It's not when the first  
4           one is produced, actually, because there are  
5           several vehicles produced up to that point.  
6           But it's the point at which they start to  
7           ramp up and sell the vehicles to dealers,  
8           sell those vehicles. The vehicles after Job  
9           One go to dealers.

10       Q.   Everything before that would be prototypes  
11           of some form or fashion?

12       A.    There are some levels of prototypes that are  
13           considered salable units prior to Job One.  
14           But for the most part, Job One is the day at  
15           which you feel the vehicle is ready to be  
16           sold.

17       Q.    Do you remember the Job One date for the  
18           Bronco II?

19       A.    I don't remember the exact Job One date, no.

20       Q.    Also in looking at the documents, I see the  
21           term Yuma Utility. Is that referring to the  
22           Bronco II prototype?

23       A.    That's right. That's -- No. That's the

1 code name for the program. The Ranger  
2 program was code named Yuma. And the Yuma  
3 Utility was the name that was used prior to  
4 selecting the name Bronco II for the  
5 marketing name for the vehicle.

6 Q. If I look through the documents and I see  
7 the term Yuma Utility, they're talking about  
8 the prototype for that -- what ultimately  
9 became the Bronco II?

10 A. Well, they're talking about the Bronco II as  
11 it was at that time. It's the same -- Yuma  
12 Utility was what we referred to prior to  
13 knowing it was going to be called a  
14 Bronco II. And then there may have been  
15 some transition where people hadn't really  
16 figured it out yet, so there may even be  
17 some documents that refer to Yuma Utility  
18 even after we knew it was going to be a  
19 Bronco II. So they're interchangeable.

20 Q. Now, I also see UN48. I don't know if  
21 that's the correct code name. Do you know  
22 what that stands for?

23 A. UN48 or UN46?

1 Q. Maybe it was 46.

2 A. UN-46 is the Explorer.

3 Q. Did you ever work on that?

4 A. I did, actually. But I only worked on that  
5 in the capacity of my present work that I do  
6 with DJB&A.

7 Q. What have you done for Ford on the Explorer?

8 A. What we did for Ford, we set up the quality  
9 plan for the vehicle so it would be a  
10 world-class vehicle. And we met with all  
11 the suppliers and all of the engineers --  
12 not all of the engineers -- most of the  
13 suppliers and most of the engineers in the  
14 areas where components had been problems,  
15 quality problems, things gone wrong issues,  
16 lack of customer satisfaction with  
17 particular areas.

18 Q. Did you do anything with respect to the  
19 suspension design for the Explorer?

20 A. We talked about some aspects of the  
21 suspension on the Explorer. But what it  
22 was, a big brain-storming exercise to  
23 identify how we could make the vehicle



1 better. And that was done, I think -- I  
2 think in the '86 time frame.

3 Q. Okay.

4 A. Maybe '84, '85, '86. That vehicle -- I  
5 think it was a 1990 vehicle, so it was about  
6 three to four years before the vehicle came  
7 out. I was retained to help develop a plan  
8 to make that a super vehicle for quality.

9 Q. Have you done anything since that time as it  
10 would relate to the Explorer?

11 A. Not really. As a matter of fact, I really  
12 stopped working on that about twenty-four  
13 months before it went into production. I  
14 think they had incorporated most of the  
15 ideas they could that they got from us, and  
16 then we stopped working on it.

17 Q. What would the inclusive dates of production  
18 have been for the Bronco II?

19 A. Well, if we assume they started production  
20 in January or somewhere around that time  
21 frame, somewhere in '83 or late '82, then --  
22 I don't remember when it went out of  
23 production. I want to think '88. It's a

1 matter of record when it went out, and I  
2 didn't bring a fact sheet of, you know, when  
3 they started and stopped, so I'm just  
4 relying on memory.

5 It was a fairly reasonable production  
6 run, I suppose. It might have been later,  
7 actually, thinking about it, '89. Because I  
8 think -- the reason -- I think the Explorer  
9 essentially replaced it. So it was phased  
10 out in the Louisville assembly plant and  
11 then the Explorer was phased in. So there  
12 may have been a period when they stopped  
13 producing the Bronco II while they were  
14 getting ready to produce the Explorer.

15 Q. Well, the reason I asked the dates is for  
16 this. Do you know of any changes in the  
17 design of the suspension system for the  
18 Bronco II from Job One until they completed  
19 or stopped producing the vehicle as it would  
20 relate to handling stability?

21 A. There may have been -- There may have been  
22 some changes. It's quite normal to try and  
23 cost reduce and improve. So there may have

1           been -- There may have been relatively  
2           work -- or minor changes throughout that  
3           time.

4       Q.    Do you know of any?

5       A.    I'm not aware of anything specific.

6       Q.    In working on the cases and reviewing  
7           documents from time to time, have you ever  
8           noticed anything in those documents that  
9           would indicate a change in the design as --  
10          you know, that would have as its purpose the  
11          handling and stability of the Bronco II?

12      A.    I don't remember seeing anything.

13      Q.    So the vehicle that was put out -- Job One  
14           was the final product and pretty much what  
15           people could expect to buy even in '84, '85,  
16           '86 as it would relate to handling and  
17           stability?

18      A.    I don't know that to be a fact.

19                   MR. HINGA:  Interpose an  
20                   objection.  There is a 4 x 4  
21                   and a 4 x 2.

22                   THE WITNESS:  That is correct.

23                   Yeah, I am aware of that.

1 A. They did come up with a 4 x 2 derivative  
2 sometime after Job One.

3 Q. I'll limit it to 4 X 4s.

4 A. Okay.

5 Q. Are you aware of any design changes in the  
6 4 x 4?

7 A. I'm not aware of any specific changes, but  
8 that doesn't mean there weren't any.

9 Q. Who would know? I mean, who would be the  
10 person at Ford to know -- that I would have  
11 to talk to to find out if there were any  
12 changes during that time frame?

13 A. Well, the suspension design individuals that  
14 would have been responsible there. But  
15 basically, the purchasing organization ought  
16 to know because they release drawings into  
17 the system. The engineering organization  
18 has documentation on all of the drawings for  
19 all of the parts that identifies a change  
20 level. If any change is made, it's recorded  
21 on the drawing.

22 Q. What drawings would you look at as it would  
23 relate to suspension and design? What would

1 I have to ask for to look at those drawings?

2 A. Any spring, spring towers, frame, wheels,  
3 tires, shock absorbers, stabilizer bars,  
4 stabilizer bar brackets, rear springs, rear  
5 axle. All those -- All the components.  
6 Maybe there's a hundred components.

7 Q. There's not an overall drawing that would  
8 show the suspension system and any changes  
9 in that --

10 A. No, none. The way it works is there's a  
11 bill of material for the whole product. And  
12 once that's released, the suffix for the  
13 part number indicates the model series that  
14 it's going to be used for. Now, that --  
15 Those parts may also end up being used on  
16 other vehicles, so some of them are  
17 derivative parts.

18 And then any changes made to those  
19 parts is generally recorded by changing  
20 the -- by changing the prefix in the -- by  
21 changing the letters in the part number,  
22 say, from an AA level to an AB or an AC. So  
23 you can see if there's any changes by

1 looking at the part numbers normally.

2 Q. Who would have taken your place when you  
3 left Ford?

4 A. The gentleman who took my place was Roger  
5 Stoner, who is now deceased.

6 Q. Do you know who's in his position today?

7 A. I don't know -- I don't know how it -- I  
8 don't know how they changed the organization  
9 after Roger left, so I don't know who's  
10 actually responsible. And I'm not even sure  
11 the organization is even anywhere close to  
12 the same today as it was then.

13 Q. How long would Mr. Stoner have been in that  
14 position?

15 A. I think he was there for a few years. He  
16 died three or four years ago, I think, maybe  
17 two or three years ago of a heart attack.

18 Q. So '82 to '83 -- I mean, '84, '85?

19 A. I think he was still in that position when  
20 he died. I think he was still responsible  
21 in that suspension area when he died. But I  
22 really haven't stayed close to it.

23 Q. Do you know who would have come in to

1           replace him basically in the same capacity  
2           for the Bronco II?

3       A.    I don't know, but --

4                         MR. HINGA:  Object to the form.

5       A.    I don't know who -- the person responsible  
6           after Roger, but it would be easy to -- you  
7           know, it's a matter of record.  You know,  
8           I'm sure there's organizational charts that  
9           would tell you who actually is  
10          responsible -- or was responsible after  
11          Roger left.  I just don't know.

12       Q.    In this particular case, have you seen the  
13           vehicle that Mr. Crenshaw was driving?

14       A.    No, I haven't.

15       Q.    Have you been provided with any specific  
16           information about this case?

17       A.    The only information I've been provided is  
18           there was a police accident report, which  
19           I'm afraid I haven't had time to read, and I  
20           think Ford's -- a letter from Ford  
21           identifying what would be -- what we'd be  
22           testifying about.

23       Q.    Would it be correct that you would be the

1 person primarily responsible for the  
2 suspension of the Bronco II, the  
3 development -- design and development of the  
4 suspension?

5 A. Yeah. During my tenure when I was with  
6 Ford, I certainly was.

7 Q. What changes were made in the design of the  
8 suspension that would have an effect on  
9 handling and stability from the time you  
10 left Ford until Job One?

11 A. I believe that there was a slight additional  
12 widening of the track by changing the wheel  
13 offset. And I believe there were some --  
14 there were some very small changes in center  
15 of gravity height accomplished by weight  
16 additions.

17 Q. Okay.

18 A. And there may have been further tuning of  
19 suspension components. For example, when I  
20 left, we were trying to put a bigger front  
21 stabilizer bar on the vehicle so we could  
22 use -- also use a fairly good-sized rear  
23 stabilizer bar, and that had not been



1 completely proven out. So after I left, I  
2 believe those changes were also still being  
3 worked on and developed.

4 Q. Do you know if they ultimately put a larger  
5 stabilizer bar in the front?

6 A. I believe they did.

7 Q. And the rear?

8 A. And when I say larger, I mean a more  
9 efficient front stabilizer bar system, where  
10 we had changed from the initial assumptions,  
11 which were a stabilizer bar mainly behind  
12 the axle between the two radius arms, to a  
13 stabilizer bar system that was then ahead of  
14 the axle.

15 Q. Was that accomplished as well?

16 A. I believe it was accomplished, yes.

17 Q. And you say they put a larger stabilizer bar  
18 on the rear as well?

19 A. If we -- We felt that if we didn't -- if we  
20 couldn't put a larger stabilizer bar on the  
21 front, we wouldn't be able to put as big a  
22 stabilizer bar on the rear. So those two  
23 went hand-in-hand. The size of the rear

1 stabilizer bar and the size of the front  
2 stabilizer bar were coordinated.

3 Q. Tell me about the changes in the center of  
4 gravity height.

5 And again, I'm limiting it right now to  
6 the time frame when you left Ford until the  
7 ultimate production of the first vehicle.

8 A. I believe a bigger fuel tank was put on the  
9 vehicle. I've been told a bigger fuel tank  
10 was put on the vehicle. I believe there  
11 were some skid plates or protection plates  
12 that were put on the vehicle that would have  
13 increased the mass below the center of  
14 gravity and lowered the vehicle a little.

15 Q. The larger fuel tank, is that the change  
16 from seventeen to twenty-three gallons?

17 A. I don't know -- I don't know the exact  
18 gallons. But if that was -- If there are  
19 two tanks -- one, seventeen; twenty-three --  
20 I would presume that would be the case. I  
21 don't know for sure.

22 Q. But you do understand that the increase in  
23 the size of the fuel tank was to add weight

1 to the vehicle below the center of gravity?

2 A. I don't understand that was the reason. If  
3 they changed -- You asked me what changes  
4 were made that might affect the stability of  
5 the vehicle.

6 Q. Yes, sir.

7 A. And if I put more mass under the -- below  
8 the center of gravity, I may have a slight  
9 effect on the stability. But the motivation  
10 to do the larger fuel tank may have been to  
11 increase the range of the vehicle, and so  
12 the other effect may have been incidental.

13 Q. Let me ask you this, then, since you phrased  
14 it that way. Would it be proper in your  
15 opinion from an engineering standpoint to  
16 increase the size of a fuel tank just to  
17 enhance the stability index of a vehicle?

18 A. I don't know if that's the term that --  
19 there's a -- you can connotate it as being  
20 proper or not proper. I mean, it does. It  
21 may.

22 Q. But from an engineering standpoint, you  
23 couldn't rely on that for vehicle safety,

1           could you, because people are going to run  
2           out of gas at some point, drive the vehicle  
3           on an empty tank? Would you agree with  
4           that?

5       A.   Not really. Basically if you have a larger  
6           fuel tank with larger fuel, then basically,  
7           most of the time, the vehicle is being -- on  
8           average, when the vehicle is being driven,  
9           it's being driven with a lower center of  
10          gravity.

11                 It's true -- You know, there are times  
12           when the center of gravity may get a little  
13           higher when the tank is empty and maybe a  
14           little lower when the tank is full. But the  
15           hypothesis that the empty tank condition is  
16           unsafe anyway is not necessarily held by me  
17           or others.

18       Q.   Well, I guess the question is, it wouldn't  
19           be good for an engineer to rely on the fuel  
20           in the tank to weigh the vehicle down to  
21           give it a better center of gravity if it  
22           otherwise didn't have a center of gravity  
23           that they thought was --

1 A. I'm not aware of any engineers relying on  
2 that or needing to rely on that. But if you  
3 wanted to just increase the stability index  
4 and then -- and have a higher number, that  
5 would do that.

6 Q. Okay. Stability index, is it normally  
7 calculated with the fuel tank full?

8 A. I could calculate it empty, full, or half  
9 full.

10 Q. What does Ford do?

11 A. I don't remember. I really don't remember.  
12 I don't know what it is. It might be full.  
13 I think curb -- curb weight is considered  
14 full fuel, no passengers. So that would be  
15 the curb weight.

16 Q. Okay.

17 A. It's arbitrary. I mean, you calculate it  
18 here, calculate it there. It's just -- It's  
19 just what it is.

20 Q. It's important, though, enough for Ford to  
21 have documented it several times in the  
22 record, so I'm trying to figure out what  
23 their policy was at that time when they were

1 calculating the stability index. Did they  
2 do it with the fuel tank full, with --

3 A. I looked at stability index, you know, with  
4 the vehicle both empty and loaded. And  
5 there's no reporting requirement to report  
6 stability index to anybody, so that's  
7 strictly a comparative figure.

8 So it's only important probably by way  
9 of comparison, and it's an arbitrary number  
10 when you're done anyway. So I don't attach  
11 any significance to it other than being  
12 consistent, maybe.

13 Q. Well, and that's the reason I asked the  
14 question, Mr. Bickerstaff. What's Ford's  
15 policy with respect to the stability index?  
16 Would it -- If I looked at it, would I know  
17 if it was a stability index calculated with  
18 the tank full or empty?

19 A. I don't know.

20 Q. So you wouldn't know if you looked at a  
21 stability index whether -- at Ford whether  
22 it would be with a tank fueled or unfueled?

23 A. Unless it's stated.

1 Q. Okay.

2 A. Unless the test report stated the condition  
3 that it was in, given that you could  
4 calculate the stability under various  
5 conditions.

6 And it's also conceivable that with the  
7 suspension compliance, when you put more  
8 mass in, okay, that changes of height of the  
9 vehicle also occur due to weight. So I  
10 don't know how all those things interact,  
11 but --

12 Q. Okay. When you were looking at the  
13 stability index of the Bronco II, were  
14 passengers accounted for?

15 A. I think we looked at it both loaded and  
16 empty. I remember looking at stability  
17 index loaded and empty.

18 Q. And so you can't tell when you look at a  
19 stability index number for Ford whether it's  
20 loaded or empty? The same question about --  
21 with respect to --

22 A. I'd need to know the parameters of the test,  
23 of the specific test that was being

1 generated. So if the stability index was,  
2 you know -- was two point oh three with one  
3 passenger, you know, maybe it's something  
4 slightly higher with no passengers or lower,  
5 as the case may be.

6 And what would be important to me would  
7 be that if I looked at it this way versus --  
8 with a different suspension or a different  
9 geometry or a different track or a  
10 different -- what's the difference for the  
11 same condition?

12 Q. When you talk about curb weight, is that  
13 without passengers?

14 A. Normally the curb weight is considered the  
15 without weight of the vehicle, I believe.

16 Q. And then if you have passengers, is that  
17 gross vehicle weight?

18 A. With passengers and a full load is gross --  
19 is the maximum weight the vehicle --

20 Q. Maximum weight. Okay.

21 A. That's like the two extremes of weight the  
22 vehicle can possibly be at.

23 Q. Sure.



1           You mentioned that a skid plate was  
2           added sometime after you left. Where is the  
3           skid plate?

4       A.    I don't know exactly where it is. I don't  
5           know if it's a shield for the fuel tank or a  
6           transfer case shield. I'm just aware that  
7           there was some kind of a shield added  
8           underneath. I haven't really sought to find  
9           out exactly where it was.

10      Q.    Do you know how much it weighs or anything  
11           like that?

12      A.    No.

13      Q.    Or what effect it would have on the  
14           stability index?

15      A.    No. I would assume it would be very small.

16      Q.    You mentioned that from the time you left  
17           until the production of the first vehicle,  
18           there was a widening of the track width.

19           How much was it widened and how?

20      A.    I believe the wheel offset was changed or  
21           was proposed -- that's what I understand --  
22           and I -- maybe a half an inch, quarter of an  
23           inch per side. I think I recall reading

1 something like that.

2 Again, it's a matter of record, and I'd  
3 defer the specific stuff to what's in the  
4 record.

5 Q. Well, see, that's the problem. I noticed  
6 the deposition of the corporate  
7 representative who is supposed to be  
8 somebody knowledgeable as to the design of  
9 the Bronco II, so I've got to get all I can  
10 from you. Obviously, I will have to depose  
11 somebody else who knows because since you  
12 left in '82, this vehicle was manufactured  
13 after '83, I've got to know what happened in  
14 the meantime. If you can't tell me, that's  
15 fine.

16 A. I don't remember the -- I had no reason to  
17 be aware of what those numbers were after I  
18 left.

19 Q. What was the highest stability index rating  
20 that you were able to accomplish with the  
21 Bronco II?

22 A. I don't remember the exact numbers. We were  
23 in the ballpark of somewhere around two.

1           And I think, depending on various things  
2           being looked at, it was -- it was maybe in  
3           the one point eight six, one point nine  
4           region when we started, and we got into the  
5           two plus region and -- you know, maybe two  
6           point oh six or something like that.

7                     I think I recall looking at things that  
8           we didn't think were feasible that would get  
9           us higher than, say, two point one or two  
10          point two. But we didn't -- Those weren't  
11          really considerations since we never  
12          recommended to the company that they  
13          actually proceed with those alternatives.

14                    So we were bracketing two, and I don't  
15          know where -- we ended up somewhere between  
16          two and two point one. Maybe some specific  
17          configurations might have even been slightly  
18          below two. Somewhere in that ballpark.

19    Q.    What recommendations were considered but  
20           were decided to be nonfeasible?

21    A.    Extreme lowering of the vehicle and widening  
22           of the track were things that we had looked  
23           at and rejected back in the February of 1981

1 time frame.

2 Q. You say lowering of the vehicle was not  
3 feasible?

4 A. We didn't feel it was, no.

5 Q. You say we. Who would we consist of?

6 A. Me and the other people in my team: Fred  
7 Drotar, Fred Parrill, other managers of Ford  
8 who reviewed our recommendations and agreed  
9 with them.

10 Q. What managers of Ford?

11 A. Chuck White, I believe Fred Drotar for sure,  
12 Fred Parrill, the people in my chain of  
13 command, all the way up to Hagenlocker.

14 Q. And who was Chuck White again?

15 A. He was a manager. I think he was in the  
16 wheel and tire area.

17 Q. Okay.

18 A. He may have also had the fuel systems as  
19 well.

20 Q. Did upper management review any of the  
21 proposals that y'all had with respect to the  
22 stability index or the stability of the  
23 vehicle generally?

1 A. There were several management reviews where  
2 we had gone through and said this is what  
3 we're planning on doing; do you agree? And  
4 our action -- recommendations were endorsed,  
5 and we proceeded.

6 Q. Were there ever any proposals that were  
7 rejected by management?

8 MR. HINGA: Object to the form.  
9 What do you mean by upper  
10 management, Greg?

11 MR. ALLEN: Anybody outside of  
12 engineering, let's say.

13 A. Anybody above me in the organization?

14 Q. Well, that would be fair.

15 A. There was nobody in the organization above  
16 me that rejected anything I proposed as --  
17 and recommended.

18 Q. Why did you come up with proposals for  
19 lowering the vehicle if you knew it was not  
20 feasible?

21 A. Well, it's quite normal in engineering to  
22 bracket what you're doing with the extremes.  
23 And, you know, maybe the word proposal is

1 not the right word. Maybe hypothetical  
2 alternative for all of those is a better  
3 choice of words.

4 But it's perfectly normal to look at,  
5 well, we could do this; we could do this. I  
6 mean, I could look -- I could go out today  
7 and design a new car and say I want to  
8 design it with an aluminum body because it's  
9 the lightest weight thing on the road. And  
10 I could have it partly aluminum and partly  
11 steel or partly aluminum and partly  
12 composite or all steel. And I may go  
13 through and look at the trade-offs between  
14 those different things and consider them all  
15 and still come back and decide to make a  
16 steel body for various reasons.

17 So it's quite normal in engineering to  
18 bracket your alternatives and look at the  
19 trade-offs associated with doing some higher  
20 alternatives or even doing some easier  
21 alternatives and then to pick something that  
22 you think is appropriate so management knows  
23 what the alternatives are that would go

1           beyond what the trade-offs and risks are  
2           that are associated with those things.

3       Q.    Do you know of any consideration to the  
4           potential for delay of Job One as a  
5           trade-off for not changing the design of the  
6           vehicle?

7       A.   Well, we considered the Job One, and we were  
8           fairly early in the program looking at where  
9           we wanted to put the stability index when I  
10          reviewed the program and said let's make the  
11          stability index higher.

12                 So I felt that with the stability  
13           indexes that we could achieve with the track  
14           width changes I had recommended and the  
15           center of gravity heights that I had  
16           recommended for ride heights, that we could  
17           meet all the requirements for that vehicle  
18           and produce a safe, stable vehicle with  
19           that.  So we recommended we go forth with  
20           that.

21       Q.   My question is, do you remember any  
22           consideration to the delay of Job One as  
23           being something that was considered when it

1           was -- when you were thinking about widening  
2           the track with lower than center of gravity?

3       A.   Well, in a couple of the hypothetical  
4           alternatives that we looked at where we  
5           talked about that -- to widen the -- to  
6           widen the track along the center of gravity  
7           height, to -- that would create essentially  
8           an all-new-concept vehicle I think is the  
9           words we used.  And -- And even one of the  
10          other proposals that we felt that would  
11          cause extreme damage to the suspension and  
12          not give us the ramp and departure angles  
13          and the other things we were trying to  
14          achieve, we mentioned that, by the way, if  
15          we did want to proceed with that, that's  
16          such a big change in the program as far as  
17          we're concerned, you also wouldn't meet Job  
18          One, which is more of an adjunct than the  
19          primary reason not to do the alternative.

20       Q.   Was Job One important to Ford?

21       A.   Job One is always important.  I mean, if  
22          you're going to spend money to, you know,  
23          design and develop a vehicle, you'd sure



1           like to have a defined point that you can  
2           actually start recouping your investment.

3       Q.    During the time that you were working on the  
4           aspect of the design that you were  
5           responsible for on the Bronco II, were you  
6           aware that General Motors was in the process  
7           of working on the Blazer?

8       A.    We did become aware.  We knew about the S-10  
9           coming, and we speculated they'd be doing a  
10          small utility.  So we thought that would be  
11          coming.

12      Q.    Did you know that -- when the S-10 Blazer  
13           was going on the market?

14      A.    I didn't know at the time I was making my  
15           recommendations or in the early phases of --  
16           late '80, the '81 time frame.  I didn't know  
17           exactly when the S-10 would be coming out or  
18           the Blazer would be coming out.  I think we  
19           learned during 1982.  We might have learned  
20           during 1982 more specifically of their  
21           plans.

22      Q.    When did the S-10 Blazer ultimately go into  
23           the market?

1 A. I don't remember exactly. I think the S-10  
2 got to market before we launched the Ranger.  
3 And I think that the Blazer might have been  
4 in production before we hit with the  
5 Bronco II, but I don't remember exactly.  
6 Those were really after I left. I don't  
7 think they -- I don't think we had a Blazer  
8 before I left the company.

9 Q. Do you remember which came on the market  
10 first --

11 A. Well, the --

12 Q. -- the S-10 or the Bronco II? Because I  
13 don't know. I just --

14 A. Well, the Ranger came on the market first.  
15 And then I remember having S-10's that we  
16 were testing before I left the company, so  
17 the S-10 must have come out before we came  
18 out with the Bronco II. I don't know when  
19 the Blazer came out relative to the  
20 Bronco II -- I don't remember anyway.

21 Q. So sometime in '82, though, you knew that  
22 the S-10 -- or, excuse me, General Motors  
23 was working on a similar utility vehicle to

1 the Bronco II?

2 A. No. We knew they were doing an S-10. Okay?

3 We speculated that they were doing a -- they

4 would do a small utility vehicle for the

5 same motivation that we were motivated to

6 produce it.

7 Q. At the time the Bronco II concept was

8 formed -- I've noticed some of the documents

9 refer to the Jeep as the image vehicle.

10 A. Yes.

11 Q. And Ford was trying to compete with the Jeep

12 market in the development of the Bronco II;

13 is that correct?

14 A. I wouldn't put it quite like that. I would

15 say that in this segment, the Jeep was the

16 other vehicle that was out there that

17 existed today that would provide a

18 reference.

19 So in this, you know, small -- smaller

20 four-wheel drive utility vehicle, the only

21 other vehicles we had out there -- the

22 highest volume other vehicle that we had out

23 there was the Jeep. So naturally, we -- we

1 looked at how does the Jeep perform.

2 Q. Did you test the Jeep?

3 A. We did, yes.

4 Q. And when would you have done the testing on  
5 the Jeep?

6 A. In parallel with -- with developing our  
7 vehicle, there would be numerous occasions  
8 when we would drive a Jeep and compare it to  
9 the various prototypes of the Bronco II.

10 Q. What time frame are we talking about?

11 A. Talking about 1981, 1982, 1983. I would  
12 assume that would carry on.

13 Q. Okay. Before 1981, did you test the Jeep?

14 A. I'm sure that there were Jeeps in our fleet  
15 that we were evaluating. I don't remember  
16 specifically doing any tests before '81, but  
17 we might have. We might have had them for  
18 comparison.

19 Q. And the '81 tests of the Jeep, what would  
20 that include? What type of testing?

21 A. Driving around a handling circuit,  
22 evaluating it, seeing what people thought  
23 about it. Tests like our P6-101, we might

1           have done that. Maybe the advanced guys in  
2           developing the Yuma Utility specification  
3           may have done some evaluations.

4    Q.    Would that be J-turn testing?

5    A.    There might have been some J-turn tests. I  
6           don't recall J-turn testing really starting  
7           until '81.

8    Q.    What are the other maneuvers, other tests  
9           that you could perform?

10                   MR. HINGA: Object to the form.

11                           Vague.

12   A.    The other tests that we did perform -- what  
13           was performed: lane change maneuvers,  
14           double lane change maneuvers, drive around  
15           various radius turns at different  
16           velocities, test the vehicle loaded,  
17           unloaded, under different suspension  
18           configurations, different road conditions,  
19           driving on bumpy roads, wavy roads, gravel  
20           roads, off-road.

21   Q.    What is a ramp steer maneuver?

22   A.    It's where you put the steering input in one  
23           direction.

1 Q. And then a reverse ramp steer is what?

2 A. When you put it in one direction, then turn  
3 the wheel into the other direction.

4 Q. Before leaving Ford, did you ever drive  
5 either of the Jeep CJ models -- I say you,  
6 Ford -- in any of those maneuvers, either  
7 the reverse steer or the ramp steer?

8 A. I did personally, and other people did, too.

9 Q. Do you have records of those tests?

10 A. I believe there is -- there are documents  
11 that show the results of subjective  
12 evaluations that were done under various --  
13 we were evaluating things like directional  
14 stability and lane change stability. That  
15 did compare prototype Bronco II's to the  
16 Jeeps and other vehicles.

17 Q. What time frame are we talking about?

18 A. Certainly in the middle of 1981 I recall,  
19 and then again in '82, doing evaluations of  
20 that nature.

21 Q. What does it mean when we look at the  
22 documents and it refers to the Jeep CJ as  
23 the image vehicle for the Bronco II?

1 A. What it means is that's the competitive  
2 benchmark that exists today and we've got to  
3 be better than that. Well, we've not got to  
4 be, but we make decisions of how much better  
5 we want to be in certain areas than that.

6 We establish some things we call -- we  
7 now call them functional images. But let's  
8 say that we rated the handling six on a  
9 Jeep. We might want to say, well, we want  
10 ours to be a seven or a seven and a half.

11 So management makes kind of a decision  
12 about where they would like to be better in  
13 certain functional areas, and then we as  
14 engineers try to make it that much better so  
15 that we'll have a competitive advantage.  
16 The image vehicle is a comparison vehicle.

17 Q. So you started off with the Bronco II  
18 having -- The image vehicle for the  
19 Bronco II is the Jeep CJ?

20 A. Well, really, it would be better to say we  
21 developed the Bronco II and then we compared  
22 it to the Jeep.

23 Q. Well, so it's not an image vehicle?

1 A. Well, I've explained what an image vehicle  
2 is. An image vehicle is the principal  
3 competitive comparator vehicle. And it's  
4 image in the sense that you would like the  
5 vehicle that you're going to develop to be  
6 superior to that vehicle in various areas  
7 that you've selected.

8 Q. When did you first learn that the Jeep CJ  
9 was the image vehicle for the Bronco II?

10 A. Right from the beginning, I think.

11 Q. Were you aware back in the beginning that  
12 the Jeep CJ had a higher rollover rate than  
13 other utility vehicles?

14 MR. HINGA: Object to the form.  
15 Foundation.

16 A. We were aware -- What we were aware of is a  
17 high incidence of fatalities in rollovers  
18 and Jeep. We were aware of that.

19 Q. Do you recall what the statistics were with  
20 respect to the fatal accident -- fatal  
21 accidents that occurred from rollover of the  
22 Jeep back in that time frame?

23 A. I don't recall the exact numbers, no.



1 Q. How would the wheelbase for the Bronco II  
2 compare with that of the Jeep CJ?

3 A. I don't remember the exact numbers, but I  
4 think they're probably similar.

5 Q. Okay.

6 A. But I don't remember the exact numbers.

7 Q. What is the number -- the wheelbase number  
8 for the Bronco II?

9 A. I don't remember the exact number of the  
10 wheelbase right now. If we could look at  
11 some of the exhibits that we've provided, we  
12 have a -- there's several charts that show  
13 the dimensions on these vehicles. I just  
14 haven't committed them all to memory.

15 Q. Do you remember the center of gravity of the  
16 Bronco II?

17 A. Center of gravity would have been roughly  
18 half the track width, so in the -- probably  
19 in the thirty-inch, somewhere -- thirty,  
20 twenty-eight, thirty, thirty-two inches,  
21 somewhere around that ballpark.

22 Again, all of this information exists  
23 in various charts and tables that have

1           been -- that have been produced in discovery  
2           in these various trials.

3       Q.    Is it correct that the Bronco II was  
4           actually designed off of the Ranger  
5           platform?

6       A.    Yes, it is.

7       Q.    Did you design the suspension system for the  
8           Ranger?

9       A.    The suspension system for the Ranger was  
10          designed by people that were working under  
11          my direction when I was moved into  
12          suspension design.

13      Q.    But had it already --

14      A.    And it had already started.  And we did make  
15          changes to the design of the Ranger.  Yes, I  
16          was aware of the suspension design for the  
17          Ranger.

18      Q.    Were there any cost savings to Ford by using  
19          the Ranger platform as to -- as compared to,  
20          say, starting over with a whole new vehicle?

21      A.    Oh, absolutely.  The vehicle probably  
22          wouldn't have been feasible without using a  
23          platform to start from.  That's how all

1 vehicles -- derivatives are produced.

2 We did the same thing with the big  
3 Bronco after the F Series. Chevy does the  
4 same thing with their Blazer off their large  
5 F Series -- off their large C-10, C-150.  
6 They did the same thing. They cut the small  
7 Blazer off the S-10.

8 So this is perfectly normal practice,  
9 is that you -- you're able to build  
10 additional product off things by using a  
11 generic platform and then splitting it off.

12 Q. So you took the Ranger pickup truck platform  
13 and then designed a utility vehicle  
14 basically around that?

15 A. To use as many common components as  
16 possible.

17 Q. What's the difference, say, between the  
18 Ranger wheelbase and the Bronco II  
19 wheelbase?

20 A. I think the Bronco II wheelbase is a little  
21 shorter. There was a short wheelbase --  
22 There was a short wheelbase Ranger and a  
23 long wheelbase Ranger. And I think the

1           Bronco II had a unique shorter wheelbase.

2       Q.   As far as the clearance from the ground -- I  
3           don't know if I'm using the right term --  
4           how would they compare?

5       A.   I would think the Bronco II would have  
6           compared similarly to the Ranger 4 x 4,  
7           except we knew it was going to have more  
8           sheet metal and seats and stuff that would  
9           tend to raise it.  So we, I think, were  
10          making it slightly lower and in some ways  
11          unique from the 4 x 4.

12      Q.   You wanted it lower than the Ranger 4 x 4  
13          because you were going to have more load up  
14          top?  Is that what you're saying?

15      A.   More higher load, yeah.

16      Q.   I don't know if I asked you this or not.  
17          But if you put passengers in a vehicle, will  
18          that raise the center of gravity?

19      A.   Well, it's interesting.  We've had a lot of  
20          debate about that.  I think that when you  
21          put the passengers in the vehicle, if the  
22          vehicle was rigid, it would raise the center  
23          of gravity because the suspension is

1 compliant and deflects. It turns out  
2 that -- depending on where you started from,  
3 that it doesn't necessarily make that big of  
4 a difference to the center of gravity  
5 height. So you're talking about even --  
6 maybe an inch.

7 Q. Now, the passengers, themselves -- the  
8 weight of the passenger, if they're in the  
9 passenger seat, is that above the center of  
10 gravity --

11 A. Yeah.

12 Q. -- on the Bronco II?

13 A. Typically, yeah. Not as far as you think,  
14 though, because the center of gravity is  
15 just -- just around the load floor area.  
16 And the center of gravity of a human being  
17 is roughly at the hip point from the rear  
18 seat. It's only a little bit above that  
19 load floor, so it's not a floor weight.  
20 It's only a few inches away, so it doesn't  
21 make a huge difference.

22 Q. Okay.

23 A. It's even conceivable that the center of

1 gravity could go down with just passengers.  
2 I haven't actually done those calculations,  
3 but it's possible.

4 (Brief interruption.)

5 (Brief recess was taken.)

6 Q. Looking at the document that's in front of  
7 you, does that give you any information  
8 about the characteristics of the vehicle  
9 that I asked earlier --

10 A. Yes, it does.

11 Q. -- center of gravity, stability index, and  
12 all that?

13 Tell me what that document reflects as  
14 it would relate to the Bronco II.

15 A. It's a little hard for me to read it because  
16 the print is so small, but it's a light  
17 truck center of gravity and stability index  
18 calculation sheet that shows the various  
19 vehicles, the wheelbase, the curb weight,  
20 the front track, the rear track, the average  
21 track, center of gravity height, the  
22 calculated stability index, and another  
23 index called the cornering index.

1 Q. Would those be the numbers that would apply  
2 to the Bronco II as it was released?

3 A. This is dated 11/9/82. And I assume  
4 that's -- I assume that's close enough that  
5 probably it is, yes. Because it also  
6 shows -- it shows the Bronco II at program  
7 approval and then it shows prototype, and  
8 then it shows --

9 THE WITNESS: Can you read that  
10 word for me right there? I  
11 can't read it.

12 MR. HINGA: Release level.

13 A. -- release level. So it shows those three.  
14 So it shows how it's evolved over the course  
15 of its development.

16 Q. Give me the numbers on the release level.

17 I assume the release level is what you  
18 would expect if you went out and bought a  
19 Bronco II in 1984 from a dealer?

20 A. Yeah, that should be close.

21 Q. Okay. What is it?

22 THE WITNESS: Okay. I'm going to  
23 have you help me read these.

1                   This is the Bronco II release  
2                   level. Does that say  
3                   ninety-four point oh for the  
4                   wheelbase?

5                   MR. HINGA: Yes.

6       A.   Ninety-four point oh for the wheelbase.

7                   (Brief interruption.)

8       A.   Okay. It says the curb weight is about  
9           thirty-one eighty-three pounds. Okay. And  
10          the front track width, I think that's  
11          fifty-six point --

12                 THE WITNESS: Is that fifty-six  
13                 point nine?

14                 MR. HINGA: Yes, I think so.

15       A.   And then the rear track width is  
16          fifty-seven. The average is still fifty-six  
17          point nine. And the center of gravity  
18          height --

19                 THE WITNESS: I really can't read  
20                 that. It's too fuzzy.

21                 MR. HINGA: Thirty-nine point  
22                 nine.

23       A.   Okay. That's longitudinal. So that's the



1 distance from the front axle.

2 THE WITNESS: And then this one?

3 MR. HINGA: Twenty-six point six.

4 A. Twenty-six point six inches.

5 Q. What was that second number you read?

6 A. Twenty-six point six. That's the center of  
7 gravity height, the vertical height from the  
8 ground to the center of gravity.

9 Q. So if you're calculating stability index,  
10 would you use that second number, twenty-six  
11 point six, or thirty-nine point --

12 A. The twenty-six point -- For stability index,  
13 twenty-six point six.

14 Q. Okay.

15 A. And the stability index is the track divided  
16 by the center of gravity height, which comes  
17 out at two point --

18 THE WITNESS: Is that two point

19 one six?

20 MR. HINGA: One four.

21 A. Two point one four.

22 Q. Tell me about the center of gravity, the  
23 number other than twenty-six point six.

1 Thirty-nine point nine, what is that -- how  
2 is that calculated?

3 A. Well, the center of gravity is the point at  
4 which the body would balance. It would --  
5 if you were to support -- could get to it  
6 and hold it there --

7 Q. In all directions?

8 A. -- in all directions, it wouldn't tip over  
9 and it wouldn't tip to the side or anything.

10 So that -- that location, that center  
11 of gravity of that rigid -- essentially  
12 rigid body is -- can be specified as  
13 distance from some plane -- let's say the  
14 ground. That gives the height. And then  
15 from the center of the front axle gives the  
16 longitudinal center of gravity, so the  
17 distance from the center of gravity to the  
18 front tire, the center of the front tire.

19 Q. And that's the thirty-nine point nine  
20 number?

21 A. I think that was thirty-nine point nine,  
22 yeah.

23 Q. You mentioned the stability index is

1           calculated by track width over height of the  
2           center of gravity.

3       A.    That's correct.

4       Q.    And the height of center of gravity we would  
5           be talking about in that calculation would  
6           be twenty-six point six?

7       A.    That's correct.

8       Q.    And is it correct that some other  
9           manufacturers use a different formula for  
10          calculation of the stability index?

11      A.    Well, there --

12      Q.    Don't some of them calculate it by T over  
13           2H?

14      A.    Well, some people call it that. T on 2H is  
15           another index. It's just a half of that,  
16           obviously, so --

17      Q.    For example, if I were looking at records  
18           from another manufacturer and they had their  
19           stability index calculated, it may -- you  
20           may have to --

21      A.    You may have to make the adjustment of the  
22           factor of two.

23      Q.    Okay.

1 A. That's correct.

2 Q. But as far as it would be -- If you would  
3 see the stability index in the Ford  
4 documents in this -- as it would relate to  
5 the Bronco II, would we be looking at it  
6 from Ford's calculation, based on their  
7 manner or definition of stability index?

8 A. I'm sorry? I didn't understand the start of  
9 that question.

10 Q. That wasn't a very good question.

11 Looking at the Bronco II documents,  
12 when I see the stability index of some other  
13 vehicle, is it your understanding that that  
14 would be by Ford's definition?

15 A. Ford calculated it, and they were calculated  
16 on the same apples and apples basis.

17 Q. Okay.

18 A. So in a table like this where this was put  
19 together by Ford, it would be reasonable to  
20 assume that all the vehicles were tested the  
21 same way.

22 Q. Okay.

23 A. So that would be comparative.

1 (Brief interruption.)

2 Q. Would it be true that in the design of a  
3 vehicle such as the Bronco II, the stability  
4 index or the rollover propensity, I'll  
5 say -- I'll strike stability index -- would  
6 be very important?

7 A. The rollover propensity would be a  
8 consideration.

9 Q. Would it be an important consideration from  
10 a design standpoint?

11 A. I think it would be important to look at  
12 what you could do to reduce rollover  
13 propensity.

14 Q. Do you know what the statistics were with  
15 respect to the number of fatalities that  
16 were occurring back at the time the  
17 Bronco II was being developed from rollovers  
18 as opposed to other types of accidents?

19 A. No. We know that the -- I would say that  
20 the Jeep, perhaps because of its exposed  
21 nature and the type of people that drive  
22 them, seemed to have -- I want to say be  
23 over-involved or more involved than what one

1           might like for rollovers. But the specific  
2           numbers, I don't recall.

3                           (Plaintiff's Exhibit One was  
4                           marked for identification.)

5       Q.    I'm going to mark the document that you  
6           looked at just a minute ago to get the  
7           various numbers with respect to center of  
8           gravity, stability index, et cetera, as  
9           Plaintiff's Exhibit Number One. It's  
10          apparently a program report from light truck  
11          engineering dated November 17th, 1982.

12                    Had you already left Ford at that time?

13       A.    I had, yes.

14       Q.    It says that the Bronco II had a Twin-I-Beam  
15           independent front suspension. Were you  
16           instrumental in the decision to utilize that  
17           type of suspension on the Bronco II?

18       A.    No. I think that was a decision that was  
19           made when we decided to derive it from  
20           the -- from the Ranger.

21       Q.    Who would have made the decision to use the  
22           Twin-I-Beam suspension on the Bronco II?

23       A.    I think there wouldn't have been one

1 individual. I think that would have been --  
2 tended to have been a group decision and a  
3 logical choice based on the track record  
4 Ford had with the Twin-I-Beam.

5 Q. Did anybody to your knowledge ever suggest  
6 that the Twin-I-Beam not be used?

7 A. I looked at possibly McPherson suspension at  
8 one time, just a short study. And we may  
9 have discussed other alternate suspensions,  
10 but that would have been an all new  
11 suspension on the program.

12 Q. The question I have is within the  
13 engineering department, did anybody ever  
14 suggest that they not use the Twin-I-Beam  
15 suspension?

16 A. Nobody said let's not use it. Okay? But we  
17 did look at other alternatives, so we -- you  
18 know, like I told you about bracketing and  
19 trying different things. We're engineers,  
20 so we're aware of other types of  
21 suspensions. So we may have looked at other  
22 types of suspensions.

23 Q. But do you recall any engineer within the

1 department that really was against using the  
2 Twin-I-Beam suspension?

3 A. Not specifically.

4 Q. Or anybody up the chain of command?

5 A. Not really. I think generally the theory  
6 was that it had been a good suspension.  
7 Some people had concerns on the Twin-I-Beam  
8 for its tire wear, possible tire wear. But  
9 we made improvements, so --

10 Q. Is it true that utilizing the Twin-I-Beam  
11 caused the vehicle to have a higher center  
12 of gravity than would have been accomplished  
13 with the McPherson strut or SLA?

14 A. It's possible that the vehicle would have  
15 had a slightly higher engine placement with  
16 the Twin-I-Beam because both the axles go  
17 under the engine than with a McPherson or  
18 a -- or a regular SLA suspension. So, yeah,  
19 there might have been a slight increase in  
20 the front in the engine height.

21 Q. Would the engine height be important as far  
22 as the center of gravity is concerned? I  
23 mean, is a heavy piece of --



1 A. It would make a small difference. It might  
2 make a small difference, a half an inch or  
3 an inch even difference.

4 Q. How important is, say, a one-inch increase  
5 in the center of gravity when you're dealing  
6 with a vehicle such as a utility vehicle  
7 which has a narrow track width?

8 A. It's going to make a -- It's going to make a  
9 little bit of difference. There will be a  
10 difference. I think there's other ways to  
11 achieve the same end result, which is what  
12 we were aiming to do with stabilizer bars  
13 and shock absorbers and spring rates and  
14 other factors. So I think -- I -- You know,  
15 there's no doubt it may make a small  
16 difference, but I don't know how significant  
17 it really is.

18 Q. So it's something y'all were aware of when  
19 you were designing the Bronco II, that by  
20 using the Twin-I-Beam, you had a slightly  
21 higher center of gravity?

22 A. I think we were aware that the engine was a  
23 little higher with -- with the Twin-I-Beam

1           than it would have been with another  
2           suspension. There were a lot of pluses for  
3           using the Twin-I-Beam, so --

4       Q.   Now, going back to Plaintiff's Exhibit One,  
5           the document of November 17th. It  
6           indicates -- And I want to make sure these  
7           are all the changes --

8                    I think you testified about them  
9           earlier.

10                   -- that were accomplished between the  
11           time you left and the Job One or the first  
12           production of the vehicle.

13                   MR. HINGA: Object to the form of  
14                   the question as to all the  
15                   changes.

16                   MR. ALLEN: Well, I want to see if  
17                   this covers what we've talked  
18                   about.

19       Q.   And if there are others, I want you to tell  
20           me about it.

21                   Apparently, they had a stability index  
22           of one point nine three. And subsequent to  
23           that, they in February of 1981 increased the

1 front and rear tread fifty-six point five  
2 inches, and they --

3 A. That was done while I was there.

4 Q. That was before you left?

5 A. Right.

6 Q. Okay. Was it increased subsequent to that  
7 time?

8 A. We increased it, I think, in February of  
9 '81. We made the decision to increase it to  
10 fifty-six point something inches. It was  
11 smaller than that before that.

12 Q. Okay. Well, here is my question. You  
13 mentioned earlier that you think after you  
14 left, there was another one-quarter-inch  
15 widening of the track width.

16 A. Right.

17 Q. Would that be -- would this document -- Let  
18 me let you look at the document. The  
19 document labeled as Plaintiff's Exhibit One,  
20 would that --

21 A. Yeah, there it is. It's fifty-six point  
22 nine, and we were fifty-six point four.

23 Q. So the figures you gave me would have been

1 after that quarter-inch widening on each  
2 side?

3 A. This fifty-six point nine, when I left the  
4 company, we were at fifty-six point four.  
5 This is showing fifty-six point nine. So  
6 that's what I had mentioned earlier.

7 Q. Good. And it also mentions that the center  
8 of gravity was lowered via reduction in the  
9 ride heights. Do you know what that refers  
10 to?

11 A. That's where the springs are recambered and  
12 reset to lower the vehicle. And we had  
13 done -- I don't know if that is incremental  
14 to what I had done, but I had also lowered  
15 it as well.

16 Q. How do you do that? How do you --

17 A. You change the shape of the spring. See,  
18 you take the coil spring in the front and  
19 you -- you make it a lower free height so  
20 that the vehicle sits lower. And you do the  
21 same in the back. You can't lower that too  
22 much because then the suspension will  
23 crash -- crash through on a bumpy road.

1 Q. Does that lower the overall height or ground  
2 clearance of the vehicle?

3 A. It does trade off a little bit on ground  
4 clearance when you lower the vehicle that  
5 way, yes.

6 Q. It also indicates that the center of gravity  
7 was further reduced through incorporation of  
8 one hundred fourteen pounds of selective RPO  
9 content as standard equipment, yielding an  
10 estimated stability index of two point one  
11 four.

12 Is that what we talked about earlier,  
13 the larger gas tank?

14 A. That could be the gas tank and maybe --  
15 maybe skid plates, too, for the transfer  
16 case. Unless I saw the list, I wouldn't  
17 know exactly. But a hundred and fourteen  
18 pounds --

19 Q. What does selective RPO mean?

20 A. RPO is a regular production option. So what  
21 that's really saying is there -- here is a  
22 bunch of odd things we were going to do that  
23 were going to be optional that actually are

1           good for lowering the vehicle, so why not  
2           make them standard on all the vehicles? So  
3           it looks like that's what they did. They  
4           gave the customer actually more value.

5       Q.    And then it talks about the addition of the  
6           front and rear stabilizer bars as standard  
7           equipment on all models.

8       A.    Okay. That's good. That's what we wanted.

9       Q.    Now, are you --

10      A.    That wasn't absolutely decided when I left.  
11           We were leaning that way and recommending  
12           that.

13      Q.    Are you aware, now, of any other changes  
14           that were made that had as its purpose  
15           either increasing the stability index or the  
16           factors that would affect it, such as lower  
17           the center of gravity or widen the track  
18           width?

19      A.    I thought -- Maybe it's covered in there  
20           somewhere, but -- does it talk about  
21           slightly heavier wheels anywhere? I  
22           understand the wheels were heavier, used a  
23           wider wheel or something. But not -- That

1 sounds about like what I've heard before.

2 Q. When you say heavier wheels, what are you  
3 referring to?

4 A. The weight of the wheels.

5 Q. Do you know if that was ever done?

6 A. That might have been discussed. I remember  
7 seeing something about it. I don't know  
8 whether that was actually done or not.

9 Q. Also mentioned in Plaintiff's Exhibit One,  
10 it says the FARS data --

11 And I'm sure you're familiar with that  
12 data, aren't you?

13 A. I'm not familiar with the data. I know what  
14 FARS data is. I think it's accident -- some  
15 kind of accident data.

16 Q. Did you review that data when you were  
17 designing the suspension system for the  
18 Bronco II?

19 A. I didn't really review it. I think I may  
20 have seen it from time to time. I don't  
21 specifically remember, you know, studying  
22 it, spending a lot of time with it.

23 Q. But you understand it's the calculation of

1 fatal accident reports?

2 A. Right.

3 Q. Would you expect that there would be other  
4 fatal accident reports that were not  
5 reported?

6 MR. HINGA: Object to the form.

7 A. No. I mean, to the best of my knowledge,  
8 that is reconstruction of the reported fatal  
9 accidents --

10 Q. Okay.

11 A. -- that are reported in police reports  
12 that's collected by some agency.

13 Q. So it wouldn't be based on statistics? It  
14 would be actual accidents that were reported  
15 and -- and that information collected?

16 A. It would be the incidence of where  
17 rollover -- of rollovers where there were  
18 fatalities involved.

19 Q. The memo goes on to say that the FARS data  
20 shows that the CJ vehicles have a propensity  
21 for rollover fatalities more than triple of  
22 the standard-size utility vehicles.

23 Would you have been aware of that even



1 before this document?

2 A. I don't know about -- if I would have been  
3 aware of triple. But we knew that there was  
4 some multiple -- and again, as I explained,  
5 the CJ, the demographic factors of the  
6 owner-operators, the type of person that  
7 might drive the vehicle, the open nature of  
8 the vehicle that would tend to be -- if  
9 there was a rollover, might increase the  
10 chances of death or, you know, significant  
11 personal injury.

12 Q. Did Ford think that they could reduce the  
13 number of injuries from rollovers just by  
14 giving the passenger more protection, by  
15 like having an adequate roof structure?

16 A. I think we felt that with the roof being  
17 designed to meet the federal Motor Vehicle  
18 Safety Standard of roof crush centers,  
19 having an enclosed roof, that that would  
20 give more -- that there would be less  
21 fatalities than with an open vehicle.

22 Q. And therefore, you were hoping it would be  
23 lower than the Jeep?

1 A. I wasn't hoping. We just thought that it  
2 was directionally advantageous to have a  
3 roof structure as opposed to an open  
4 vehicle. I think it was the general group  
5 of us that felt that.

6 Q. And you would have been included?

7 A. Yes.

8 Q. It mentions the "60 Minutes" television  
9 expose'. Was that after you had left Ford?

10 A. I don't remember when the "60 Minutes" -- I  
11 think that "60 Minutes" stuff was in -- it  
12 might have been in even '80 or '81. I don't  
13 remember exactly when the "60 Minutes" came  
14 in. I was aware of some "60 Minutes" stuff  
15 that -- I think even while we were talking  
16 about stability index.

17 Q. And the "60 Minutes" stuff that we're  
18 talking about is the expose' they did on the  
19 Jeep CJ rollover situation?

20 A. I don't remember whether it was -- "60  
21 Minutes" came later or, you know, some kind  
22 of Consumers Union. I don't remember  
23 quite -- That's going back quite a few

1 years. I don't remember exactly when that  
2 came in, but I'm aware of some Consumers  
3 Union testing and I'm aware of the "60  
4 Minutes" thing. But I don't remember the  
5 exact time frame. Does it say there?

6 Q. It says the Insurance Institute for Highway  
7 Safety, October 1980 and January of 1981,  
8 "60 Minutes" expose'.

9 A. Okay. All right.

10 Q. Is that -- the Insurance Institute for  
11 Highway Safety another group that was  
12 critical of the Jeep CJ rollover problem?

13 A. I'm aware that they had certain opinions  
14 about the Jeep.

15 Q. What were their opinions?

16 A. I think they felt that they were  
17 over-involved in rollover fatalities.

18 Q. When would you have learned about that?

19 A. I think that would have been in the -- I  
20 couldn't remember whether it was "60  
21 Minutes" or Consumers Union. But that would  
22 have been in the stages when we were  
23 recommending -- in the -- October, when we

1 got the vehicle from advanced and we started  
2 designing it.

3 And remember, I had done a lot of work  
4 on stability index and rollover back in my  
5 development days. So when I got that  
6 vehicle, I started looking at, you know, is  
7 this vehicle going to be okay for track  
8 width and center of gravity height? Should  
9 we make it wider and lower? I made those  
10 recommendations. Those were accepted. And  
11 this -- Some of this stuff was going on  
12 while I was making those recommendations.

13 Q. So the "60 Minutes" and the -- I guess the  
14 publicity of the Jeep CJ problem would have  
15 come to you during that time period?

16 A. I was aware of it during that time period,  
17 yeah.

18 Q. Were you aware of the targets that marketing  
19 within Ford had set up for the Bronco II?

20 A. What do you mean by targets?

21 Q. Their target market, people that they were  
22 targeting to buy the Bronco II.

23 A. Only subsequently have I become aware of

1           some of -- what the language looked like in  
2           the brochures and the things that were  
3           actually used to market the vehicle. I  
4           really wasn't involved in that. I'm not  
5           really an expert in that area.

6       Q.    So at the time you were designing it, you  
7           weren't really told who Ford had in mind to  
8           purchase the vehicle?

9       A.    No. I think we were designing a vehicle to  
10          be a small, compact utility vehicle. And  
11          then based on the way it market tested when  
12          they showed it to people, they probably  
13          created some of that marketing literature.

14                 But I'm not aware specifically of  
15          aiming for particular demographic groups. I  
16          would have been trying to get people out of  
17          big Broncos into the small ones was -- was  
18          our intention.

19       Q.    Would it have been foreseeable to you at  
20           that time that there would be -- the  
21           Bronco II vehicle would be used for highway  
22           travel?

23                         MR. HINGA: Object to the form of

1                   the question.

2       A.   Well, I think that the vehicle -- we knew  
3           the vehicle would be used on the highway,  
4           and we knew there would be a certain amount  
5           of off-road -- a small amount of off-road  
6           use.

7                   But the utility, by definition, is  
8           designed as a dual-purpose vehicle, that you  
9           can go off-road with it and a vehicle you  
10          can use on-road.  So that's kind of a moot  
11          point.  We designed it so it can go  
12          off-road.

13       Q.   But just so we'll be clear, did you think  
14           that there would be more passenger travel as  
15           opposed to off-road use for the Bronco II at  
16           the time you were --

17       A.   Well, it's a fact that these vehicles tend  
18           to be used more on the highway than  
19           off-road, but their design is somewhat  
20           compromised by being able to use them  
21           off-road.  They tend to be higher for better  
22           ground clearance and be able to go off-road,  
23           so --

1 Q. Well, did you have in mind any percentages  
2 of use; for example, on-road versus off-road  
3 use?

4 A. Not particularly.

5 Q. Did you expect the vehicle to be used by  
6 experienced off-road users as well as  
7 inexperienced drivers?

8 MR. HINGA: Object to the form.

9 A. I think we expected a reasonable diversity  
10 in the population of people who drive the  
11 vehicle.

12 Q. And was it designed for family use?

13 A. It's designed as a personal-use vehicle.  
14 Anybody could use it who needed a vehicle  
15 for -- that would have those -- you know,  
16 that facility, being able to go off-road and  
17 being able to use it on-road.

18 Q. Do you know -- Let me show you the last page  
19 of Plaintiff's Exhibit One.

20 A. Okay.

21 Q. What does that chart reflect?

22 A. Okay. This chart appears to be a chart  
23 talking about various vehicles, including

1 passenger cars, utility vehicles, and  
2 talking about relative fatalities for all  
3 fatal accidents and rollovers only, and  
4 fatality rollover contributors.

5 Q. Is there a projection as to where the  
6 Bronco II should fall?

7 MR. HINGA: I'm going to object to  
8 the form of the question. I  
9 believe this is outside of the  
10 designated areas for 30(b)(6).  
11 He can go ahead and answer.

12 A. Okay. There appear to be some projections  
13 which are qualified with approximately  
14 symbols that talk about fatality rollover  
15 contributors for loss of control,  
16 self-induced rollover, and occupant hazard  
17 factor rollovers.

18 Q. And what vehicle do they project the  
19 Bronco II to be comparable to in that  
20 regard?

21 A. Well, they -- the CJ7 is -- is better than  
22 the CJ5. So it looks like they're  
23 projecting it to be about forty percent



1 better than a CJ5 in a mechanical trip, the  
2 same in a friction trip, and virtually a  
3 nonexistent rollover in a self-induced  
4 rollover and -- whereas; there is some  
5 self-induced rollover on a CJ5 and occupant  
6 hazard factors of about one-third, about  
7 thirty percent of what a CJ5 is. So  
8 somewhere between forty percent to three  
9 times better than a CJ5 I would say is what  
10 this tells me.

11 Q. What does it say with respect to the S-10  
12 Blazer?

13 A. And then the S-10 Blazer is -- it appears  
14 that these projections are showing it to be  
15 in the same ballpark as we would expect the  
16 Bronco II.

17 Q. When you say there shouldn't be a  
18 self-induced rollover, what does that bring  
19 to mind to you?

20 A. That's a rollover that would occur in an  
21 accident avoidance move, let's say on a --  
22 on completely smooth asphalt where there  
23 were no perturbations in the pavement or

1 curbs or the vehicle never slid off the road  
2 and got into the dirt and rolled over or  
3 rolled down an embankment or something like  
4 that. So this would be a self-induced trip.

5 A mechanic -- A friction trip is where  
6 the trip would be where the vehicle got out  
7 of control and yawed excessively and  
8 actually tripped on the pavement.

9 A mechanical trip would be a trip in  
10 the dirt or a rut or -- or the vehicle got  
11 off-road and hit a curb. That would be a  
12 mechanical trip.

13 That's the three different types of  
14 rollovers.

15 Q. So as I understand it, if you pull the part  
16 on the wheel on flat level pavement, it was  
17 not expected to roll over?

18 A. In normal real world road conditions.

19 Q. Okay. In other words --

20 A. Now, that's not to say that you couldn't  
21 create a condition -- a steering input in a  
22 test environment and you couldn't cause the  
23 vehicle to roll over, because you can make

1 vehicles roll over.

2 Q. But the maneuvers -- for example, a lane  
3 change maneuver, you wouldn't expect the  
4 wheels to lift off the ground or it to roll  
5 over?

6 A. Oh, I would expect the wheels to lift off  
7 the ground, yeah. I would certainly expect  
8 the front wheel to lift off the ground with  
9 the amount of understeering you have put  
10 into the vehicle.

11 If you were to basically be extremely  
12 aggressive, you might even get an  
13 instantaneous two-wheel lift with various  
14 combinations of the utility vehicles, so --  
15 and different loadings.

16 But I think this projection says we  
17 expect it to be somewhat comparable to a  
18 Blazer, better than a CJ5. Some were better  
19 than a CJ7, and -- but we did expect that we  
20 would be worse than passenger cars.

21 Q. How many of the cases that you've testified  
22 in have been just flat, level pavement  
23 rollovers?

1 A. Well, I think -- It depends on how you  
2 speculate about the interpretation of the  
3 data and the various accident reconstruction  
4 experts, but they all appear to be a result  
5 of loss of control by the driver; the best I  
6 can see, the drivers losing control of the  
7 vehicle and then subsequently rolling over.

8 Q. How many of them are on flat pavement?

9 A. I think most of them, they're either getting  
10 off onto the shoulder or there's speed  
11 involved and they're -- and they're getting  
12 completely sideways and tripping. And I  
13 think a good portion of them, the rollovers  
14 are occurring off the road. Maybe there's  
15 one or two that were occurring on the road  
16 where the drivers got completely out of  
17 control.

18 But I -- They almost all seem to be  
19 involving loss of control. Some of them --  
20 A couple of them have been hit by other  
21 vehicles and rolled over.

22 Q. Would you expect a Bronco II on flat, level  
23 pavement sliding sideways to roll over?

1 A. Could under certain conditions, just a  
2 sudden transition of pavement friction. I  
3 mean, any vehicle -- You can actually roll  
4 over any vehicle. You could construct some  
5 configuration of --

6 Let's face it. Formula One cars roll  
7 over, right?

8 Q. I'm talking about on flat, level pavement.

9 A. Formula One cars roll over on flat, level  
10 pavement.

11 Q. Just from the friction between the tires --

12 A. They get sideways and roll over.

13 Absolutely. You can watch them on TV.

14 Okay? Anything can roll over. The wheel is  
15 not glued to the road. You lose control of  
16 the vehicle, a rollover is a potential  
17 event.

18 Q. And y'all knew that back when you were  
19 designing the Bronco?

20 A. Not just the Bronco. Every vehicle that has  
21 ever been designed, that has been an  
22 eventuality.

23 Q. So would it have been your job primarily to

1 reduce that propensity to roll over?

2 A. My job would be to provide a stable, safe  
3 handling suspension and -- and to put the  
4 domain into which somebody might roll over  
5 as high up as is physically possible within  
6 all the other considerations that we're  
7 trying to optimize, which is what we did on  
8 the Bronco II.

9 Q. As far as in your capacity, how would you  
10 rank safety as far as the various factors  
11 you have been responsible for?

12 MR. HINGA: Object to the form of  
13 the question. It's vague and  
14 ambiguous.

15 A. Safety was very high up on a comparison.  
16 The components we released were considered  
17 safety items. Mechanical failures of  
18 components was something that was  
19 considered.

20 So safety is -- You know, safe, stable  
21 handling was definitely a consideration.  
22 It's not necessarily considered federal  
23 Motor Vehicle Safety Standard. But meeting

1           acceptable handling performance as  
2           determined by our handling test procedure,  
3           which is P6-101, where we drive the vehicle  
4           extremely aggressively in all manner of  
5           maneuvers and determine by a jury whether or  
6           not the vehicle is safe handling or not, we  
7           feel it's been the industry way of doing  
8           those things for years. And we feel -- We  
9           feel that that's a pretty important part of  
10          what we were doing.

11        Q.    Would you say that all of the cases that  
12            you've testified in, the complaint primarily  
13            has been that the vehicle was unstable and  
14            rolled over? Either the -- it was too  
15            narrow, too tall and, really, was basically  
16            a criticism of your department?

17        A.    No. I would say that there has been some  
18            attempt to find fault with the vehicle that  
19            makes it particularly prone to rolling over,  
20            and --

21        Q.    Well, is that a criticism of the suspension  
22            and design, I guess, as opposed to some  
23            other aspect?

1 A. No. I think it's been a criticism of the  
2 whole vehicle, I mean, the whole -- the  
3 whole segment, really, of vehicles.

4 Q. But as far as rollover propensity, isn't  
5 suspension and design probably the most  
6 important aspect of design?

7 A. Well, suspension certainly determines how  
8 high the vehicle is going to be, once you  
9 determine what the vehicle is and -- the  
10 shape, size, length, you know, width,  
11 height, so on.

12 But as we've testified before, is  
13 that -- you know, it's just one of the  
14 factors that's gone into this whole vehicle.  
15 And given that you're going to produce a  
16 utility vehicle that can go off-road that's  
17 going to be in this general domain, then you  
18 produce a suspension system that provides a  
19 safe, stable handling vehicle.

20 Q. But just so we'll be clear, would that be  
21 one of the most important things that you  
22 would have to be concerned with if you're  
23 looking at rollover propensity, the



1 suspension system?

2 A. Well, suspension system is -- and all the  
3 things that go with it and the steering  
4 system and the overall vehicle configuration  
5 all interact together to produce the end  
6 result.

7 Q. And you were in a position that if you felt  
8 like you -- you know, that people were going  
9 to be injured or killed from the vehicle  
10 because of its rollover propensity, you  
11 could have vetoed the whole project?

12 MR. HINGA: Object to the form of  
13 the question.

14 A. I felt that -- Considering the vehicles in  
15 the vehicle domain and their basic  
16 characteristics and looking at this vehicle  
17 we were planning to produce, I felt that  
18 there were certain parameters that we should  
19 change. And I made recommendations to  
20 change those parameters and felt that we  
21 could and, in fact, did produce a safe,  
22 stable handling vehicle.

23 Q. And so --

1 A. But I also knew that it would be impossible  
2 to design any vehicle that could not and  
3 would not roll over under any conceivable --  
4 every conceivable event that could occur on  
5 the highway. In fact, we wouldn't make  
6 vehicles if we tried to do that.

7 A Corvette is over-involved in  
8 rollovers for some reason, so we wouldn't  
9 make Corvettes maybe. We wouldn't make all  
10 kinds of vehicles. Wouldn't make buses.  
11 Wouldn't make heavy trucks. Wouldn't make  
12 cement mixers.

13 So I felt that we -- we make a safe  
14 handling vehicle within that segment, and --  
15 and I think that we did.

16 Q. I just want to be sure that -- I mean,  
17 you're the person that would have had that  
18 responsibility. And if you wanted to  
19 propose something in addition to that, you  
20 could have done it, and management would  
21 have agreed with it.

22 A. Not only could have; did. And it was  
23 approved, and that's what we did do. And,

1           in fact, all my recommendations that I made  
2           were accepted and were being worked on even  
3           as I left. And they appear to have been  
4           worked on.

5                     And then I think in the final stages,  
6           with offering these RPO options, I think  
7           they went above and beyond and offered even  
8           more things to -- to reduce the propensity.

9       Q.    Things that you wouldn't even recommend?

10    A.    Probably -- Probably would be a really small  
11           effect in the total scheme of things.

12                     You know, to change the stability index  
13           even point one, I'm not sure -- I'm not sure  
14           how significant that is in reducing fatal  
15           rollovers. I'm not sure anybody has  
16           absolutely correlated that. Certainly not  
17           while I was around had anybody presented an  
18           analysis.

19    Q.    I just want to be sure, Mr. Bickerstaff.  
20           You were in a position where you could have  
21           made changes in the design that would have  
22           made a bigger difference, but you chose not  
23           to, and because you -- and no one overruled

1           you or prevented you from making those  
2           changes?

3       A.    We -- I say I -- I mean, these were  
4           basically a team-done things.  There was a  
5           group of us that met and reviewed these  
6           things and made recommendations and took  
7           those forth to management.  And we did  
8           recommend changes, and we felt we made the  
9           recommendations -- the changes necessary  
10          that would improve the vehicle.  And we did  
11          do that.  We chose -- We chose what we did  
12          based on a pragmatic approach.

13       Q.   Did you know back at the time that you were  
14          designing the Bronco II that the -- the fact  
15          that it had a shorter wheelbase than other  
16          vehicles would enhance the propensity to  
17          roll over?

18       A.    There are some people that speculated that  
19          shorter wheelbase vehicles may have a higher  
20          propensity for rollover.  However, what the  
21          wheelbase does is it changes the sensitivity  
22          to steering.  So we felt that we could --  
23          There are plenty of vehicles with short

1 wheelbases. Fiestas, all kinds of small  
2 cars have short wheelbases.

3 So wheelbase is not a fact to look at  
4 by itself. We felt with the other  
5 suspension parameters that we could modify  
6 that. We didn't feel having a short  
7 wheelbase was unsafe.

8 Q. The question I think I asked was whether or  
9 not -- were you aware at that time that  
10 having a shorter wheelbase would enhance the  
11 propensity to rollover?

12 A. I think I answered that the -- there's no  
13 evidence to show or was no evidence to show  
14 at the time we were doing -- designing the  
15 vehicle that that short wheelbase  
16 specifically would increase the propensity  
17 for rollover.

18 Q. Would it be a factor in causing a vehicle to  
19 get in a position to roll over that you have  
20 a quicker steering response?

21 A. Well, it would be unless we had equalized  
22 the steering response characteristics. So  
23 since there are means of changing the

1 steering response characteristics on a  
2 vehicle -- just because this vehicle has a  
3 short wheelbase doesn't mean it can -- it  
4 has to have.

5 You can make a vehicle with a short  
6 wheelbase have the same responsiveness to  
7 the steering as a vehicle with a slightly  
8 longer wheelbase.

9 Q. Are you saying that the Bronco II was  
10 designed that way?

11 A. Well, we -- we did a lot of work on the  
12 suspension to reduce the sensitivity to  
13 steering even though it had a shorter  
14 wheelbase.

15 Q. Tell me what was done for that purpose.

16 A. Well, the stabilizer bars. The big front  
17 stabilizer bar is one of the things that  
18 does that. By increasing the load transfer  
19 to the outside front tire in a corner, it  
20 means that the steering -- the forces the  
21 tire can generate are reduced in total on  
22 the front axle. Not increased. They're  
23 reduced.

1           This basically then means that if you  
2           want to turn the corner, you have to put  
3           more steering input in. And steering  
4           sensitivity is the amount of response you  
5           get for giving steering input. So that was  
6           one thing we did.

7           We do that also by increasing the  
8           understeer coefficient, which means the  
9           higher the vehicle is cornering, the more  
10          it's turning, the tighter it's turning, the  
11          more Gs the vehicle is pulling, the more  
12          steering input you have to put in to stay in  
13          that maneuver. So those were the things we  
14          did to reduce steering sensitivity.

15        Q.    Okay. And the reason you did that was  
16            because you knew that it had a short  
17            wheelbase, and short wheelbase vehicles  
18            generally are prone to coming around, for  
19            lack of a better term, faster than long  
20            wheelbases?

21        A.    If you didn't do the things we said, a short  
22            wheelbase vehicle might have a faster  
23            response than a longer wheelbase vehicle.

1 Q. What does the rear stabilizer bar have to do  
2 with the response of a vehicle with a short  
3 wheelbase?

4 A. If you put a rear stabilizer bar on by  
5 itself or increase the rear stabilizer bar  
6 stiffness beyond what it will be balanced  
7 with the front stabilizer bar, then you can  
8 tend, to make the vehicle come around more  
9 or turn tighter or increase the steering  
10 sensitivity. So that's why you have to  
11 balance both bars.

12 Now, we wanted to put less roll -- more  
13 roll stiffness into the vehicle to reduce  
14 the amount of roll angle the vehicle would  
15 see. And in order to do that, we didn't  
16 want to basically make the vehicle prone to  
17 coming around, as you say. So we were  
18 working on a big front bar with a smaller  
19 rear bar to provide that balance.

20 And that's what the vehicle dynamicist  
21 or the vehicle dynamics engineer does --  
22 it's one of the things he does -- is he  
23 balances those stabilizer bars to provide



1 the optimum handling to provide those  
2 trade-offs.

3 Q. So as I understand what you're saying, the  
4 stabilizer bars will limit body roll?

5 A. That's correct, and they will change the  
6 steering response characteristics of the  
7 vehicle.

8 Q. And the limitation of body roll, will that  
9 aid the center of gravity, I guess, in a  
10 cornering maneuver?

11 A. That enhances the point at which the  
12 width -- the Gs that it takes to lift the  
13 wheels up in cornering.

14 Q. It takes more force to --

15 A. It takes -- Yeah. If you reduce the body  
16 roll, then it takes more Gs to get the  
17 inside wheels to lift up in the corner.

18 Q. Okay.

19 A. So you want to put more stabilizer bars on  
20 to prevent the wheels from lifting up as  
21 much at the same G level, so that will  
22 increase the G levels. But then you have to  
23 balance the front and rear bars to reduce

1 the propensity for the vehicle to spin out.

2 Q. Because if you had just stabilizer bars on  
3 the rear, that would actually cause it to  
4 spin out quicker, wouldn't it, or easier is  
5 what I should --

6 A. It would tend to promote that, yes.

7 Q. When you use the stabilizer bars, what does  
8 that do for the driver as far as his sense  
9 of feel with respect to an impending  
10 rollover?

11 MR. HINGA: Object to the form.

12 A. I don't suppose that if a driver loses  
13 control of a vehicle and he reaches that  
14 impending point of rollover, he has any  
15 prior experience of that event, so --

16 Q. Well, don't the stabilizer bars make the  
17 driver feel like he's got a vehicle that's  
18 less likely to roll over because it's stiff  
19 and it remains basically level in a turn?

20 A. Some people have speculated, as you have,  
21 that that is a factor. However, if I put  
22 more roll gradient in a vehicle, then it  
23 will roll over sooner at lower Gs, so --

1 Q. More roll gradient?

2 A. If I have a vehicle that's a tippy vehicle,  
3 that the body rolls a lot, then you're going  
4 to increase the probability of it rolling  
5 over in a cornering maneuver. So that's  
6 a -- We decided to make it as stiff as  
7 practically possible.

8 Q. And when you're talking about the roll  
9 gradient, is that because as the body rolls  
10 on the -- on the springs, I guess, your  
11 center of gravity is shifting?

12 A. That's correct.

13 Q. And that's not good?

14 A. Well, it's going to do it to some extent on  
15 every vehicle.

16 Q. But the more it does it --

17 A. Well, the more it does it, the lower the Gs  
18 are going to be at which it will roll over.  
19 So our theory was that if we stiffen the  
20 vehicle, we increase the Gs at which it will  
21 roll over. Every vehicle can roll over, so  
22 it's just a matter of Gs.

23 Q. And so I guess the body roll -- I mean,

1           you're not talking about changing -- making  
2           a significant difference, are you?

3     A.    Yeah.  Oh, yeah.

4     Q.    Just a small -- Just an inch or two in the  
5           shift in the center of gravity can be  
6           important?

7     A.    No, it's not center of gravity.  It's a load  
8           transfer.

9     Q.    Well, don't the --

10    A.    And it's not just an inch or two when the  
11          body rolls ten or fifteen degrees.  Okay?  
12          And it's not just -- It's not just the  
13          center of gravity.  It's not really the  
14          center of gravity height so much as the  
15          lateral shift of the center of gravity  
16          towards the outside tires of the sprung  
17          mass.

18                 We have various diagrams that we have  
19                 used that explain this phenomenon of what --  
20                 what our rationale is for basically having a  
21                 stiff suspension.  And we all agreed that  
22                 stiffer is better up until the point that a  
23                 vehicle becomes so stiff that it bounces

1 around on a rough road.

2 So there's a trade-off. You can't make  
3 it infinitely stiff.

4 Q. Let me back up and ask you a few questions I  
5 should have asked earlier. And you may not  
6 be the person to answer these, but if you  
7 can, tell me.

8 Who is the current chairman of the  
9 board of Ford?

10 A. Who's chairman? Alex Trotman? I don't  
11 know, because Alex Trotman is the chief  
12 executive officer.

13 Q. Who is the president?

14 A. That may be -- William Clay Ford is up there  
15 somewhere. There's William Clay Ford and  
16 Alex Trotman. I mean, I don't hang out with  
17 those guys, so I don't know who -- exactly  
18 what --

19 Q. If you don't know, that's fine.

20 A. There's been a big reorganizational change  
21 lately. And the guy who's running Ford  
22 right now seems to be Alex Trotman, so --

23 Q. During the time that you were designing the

1           Bronco II, did you ever have contact with  
2           anybody with the office of general counsel  
3           inside Ford?

4       A.    Yes, I did.

5       Q.    And who in OGC did you have contact with?

6       A.    I talked to various people in OGC.  John  
7           Leinonen, L-E-I-N-O-N-E-N.  Lymon Forbes.  
8           Roger Moore, I believe, at one time.

9       Q.    Are they just --

10      A.    Maybe he's not OGC.

11      Q.    Do you know what their positions are within  
12           OGC?

13      A.    Actually, wait a minute.  Leinonen and --  
14           Leinonen and Forbes were the automotive  
15           safety office.  And I'm trying to remember  
16           specifically who at OGC it would be.  I'm  
17           not sure if Moore was in OGC or automotive  
18           safety office.  Those are the only names I  
19           remember at the moment.

20      Q.    Is there a department within Ford that has  
21           as its function the assistance of  
22           litigation?

23                           MR. HINGA:  What do you mean by

1                           that?

2                   MR. ALLEN: To assist -- You know,  
3                           folks y'all usually put up for  
4                           depositions.

5                   MR. HINGA: Okay.

6                   MR. ALLEN: What's the name of  
7                           that department, if you can  
8                           tell me? Is it design  
9                           analysis?

10                  MR. HINGA: Yes.

11        A.        There were -- There have been departments  
12                   like design analysis where engineering  
13                   experts have -- within Ford have helped Ford  
14                   with litigation. We had a department like  
15                   that in the truck office that was headed  
16                   up -- I think Al Darrold and Dick Keefer  
17                   were the two key names that I remember in  
18                   that area.

19        Q.        Did you have contact with anyone from that  
20                   department while you were responsible for  
21                   designing the Bronco II suspension?

22        A.        Some discussion with Keefer and Darrold.

23        Q.        Did they have input into the design?

1 A. Yes. They were aware of what we were doing.

2 Q. But, I mean, did they make the decisions or  
3 did they participate in the decisions as it  
4 would relate to the suspension; for example,  
5 the roll stiffness that you're talking  
6 about, the stabilizer bars, that sort of  
7 thing?

8 A. No, we did that, but we told them what we  
9 were doing.

10 Q. And did the office of general counsel  
11 generally know about what you were doing as  
12 well?

13 A. I think that they were aware of what we were  
14 doing.

15 Q. Was there ever any discussion back at the  
16 time you were with Ford about the potential  
17 for litigation from rollovers, the  
18 Bronco II?

19 A. I think -- I think we could fairly assume  
20 there would be some litigation if there was  
21 a rollover. There was a potential. There's  
22 always a potential for litigation.

23 Q. Did you have any specific discussions with



1           anybody from design analysis about that  
2           potential back before the first Bronco was  
3           put on the market?

4       A.    Only to the extent that -- that the  
5           stability index might be a factor that a  
6           plaintiff's attorney might try to hang his  
7           hat on and that it might be desirable for  
8           our number to be in the same ballpark as  
9           other people's.

10       Q.   Who would that discussion have taken place  
11           with?  Would that have been Mr. Darrold?

12       A.    Mr. Darrold.  Mr. Keefer, perhaps.  I don't  
13           remember a specific discussion.

14       Q.    What's Mr. Keefer's first name?

15       A.    Dick.  R. E. Keefer.

16       Q.    Were you still at Ford when a memo was put  
17           out to basically itemize or gather up all  
18           the Bronco II documents?

19       A.    I think I was there.  There was a short  
20           period I took some time off to go sailing in  
21           the -- off the coast of Florida.  I was gone  
22           for about six weeks.  And that might have  
23           happened during that time.

1           I don't remember exactly, but I am  
2           aware that there was an attempt to collect  
3           up and identify any documents related to the  
4           Bronco II.

5       Q.   At that same time, did they collect up  
6           and -- all the documents for any other  
7           vehicle that was being designed?

8       A.   I don't know if they did or not. I think  
9           they were looking at the Bronco II.

10      Q.   Well, are you aware of any collection of  
11           documents of any other vehicle you had  
12           responsibility for the design of while you  
13           were at Ford other than the Bronco II?

14      A.   I don't remember any, no.

15      Q.   Did they tell you why they wanted to get all  
16           the documents together on the Bronco II?

17      A.   No, not specifically, no.

18      Q.   Did you gather all the documents or put out  
19           a memo to the people that worked in your  
20           department to get the documents together?

21      A.   If I recall correctly, Rich Antoun was -- in  
22           my activity was responsible for putting  
23           any -- collecting all these documents. Now,

1           he might have done that while I was gone,  
2           because I think he was filling in for me  
3           while I was gone.

4    Q.    Okay.

5    A.    That's one of those things I have a hazy  
6           recollection on about exactly what -- how  
7           that whole process got started.

8    Q.    Have you talked to Mr. Antoun about that?

9    A.    No, I haven't.

10   Q.    Is he still with Ford?

11   A.    Yes, he is.

12   Q.    And what is his position today?

13   A.    I don't know what his exact position is, but  
14           I think he may still be working in similar  
15           areas, suspension and that type of thing.

16   Q.    Do you remember about what time frame it was  
17           that they collected all the documents?

18   A.    I want to think it was sometime in the '82  
19           time frame, but -- it may be in the spring  
20           of '82, maybe later. I don't remember  
21           exactly when.

22                    The only thing I can think of is, I  
23           usually remember most of the things. I have

1 a hazy recollection on that one, so I've  
2 concluded that it must have happened while I  
3 was not there.

4 (Brief recess was taken.)

5 Q. Mr. Bickerstaff, let me show you a two-page  
6 document. I don't think I'm going to mark  
7 it as an exhibit, but it's Bates number  
8 44143. Ask if you're familiar with those  
9 documents.

10 MR. HINGA: The date of the  
11 document is 5/28/82.

12 Q. Were you still there at that time?

13 A. I was still there at that time, yes.

14 Q. Would that be the document collection  
15 program that you've just discussed?

16 A. Yeah. I think this is -- I think this is  
17 describing five basic categories of  
18 documents that they wanted to make sure they  
19 had centrally located.

20 Q. The design factors, the handling, rollover  
21 propensity, occupant protection, and  
22 warnings?

23 A. Yes.

1 Q. Did they ever gather any documents on any  
2 systems of the Bronco II other than within  
3 these categories?

4 A. I don't know.

5 Q. To your knowledge, are you aware of --

6 A. Not that I'm aware of, no.

7 Q. And you don't know why they gathered the  
8 documents up?

9 A. Well, I could speculate as to why they might  
10 want to gather them up.

11 MR. HINGA: Don't speculate.

12 Q. If you don't know --

13 A. I don't know, unless it says on the  
14 purpose -- doesn't it say the intent is?  
15 Doesn't it say what it is? The intent is to  
16 establish a central file, so I assume that's  
17 why they did it.

18 Q. Are you aware of any documents that were  
19 gathered up that have now been destroyed?

20 MR. HINGA: Object to the form of  
21 the question.

22 A. I'm not specifically aware. I understand  
23 there's some -- there's some list of what

1           may have been documents that -- where  
2           somebody is having a hard time finding the  
3           documents. I've heard about that, but I  
4           don't know the specific details.

5       Q.    Do you know how many documents are missing?

6       A.    I don't know. It's a few. I don't even  
7           know what they were.

8                    We do have a document -- We did have a  
9           document retention policy. Documents were,  
10          in fact, supposed to be obsoleted at certain  
11          points in time. So I don't know if those  
12          would have fallen under those guidelines.

13      Q.    Who developed the program documentation  
14          methodology?

15                   MR. HINGA: That's described in  
16                  the document we've been talking  
17                  about?

18                   MR. ALLEN: Yes.

19      A.    Who wrote this document?

20      Q.    Yes.

21      A.    I've got no idea. That's ASP. ASP would  
22          seem to have something to do with it.

23      Q.    What group is that?

1     A.    I don't know what that is either.  ASP,  
2            automotive safety something.  It might have  
3            come from the automotive safety office, but  
4            I don't know what that designation stands  
5            for.  It might have been somebody's  
6            initials, too, although I can't think of  
7            anybody with those initials.

8     Q.    Do you know what it's referring to under  
9            category two under handling, where they say:  
10           This section will establish that Bronco II  
11           drivers are less likely than drivers of  
12           competitive vehicles to lose control or to  
13           expose themselves to conditions conducive to  
14           rollover?

15    A.    Explain the question again.

16    Q.    What do they mean by that, if you know?

17    A.    What they mean, they -- they'd like to know  
18           where the data is that shows that a driver  
19           in the Bronco II, okay, would be less likely  
20           than a driver of a competitive vehicle to  
21           lose control.

22    Q.    I mean, are you aware of that thought  
23           process?  I mean, was that the thought

1 process of Ford at the time, that Bronco II  
2 drivers would be less likely to lose control  
3 than other -- drivers of other vehicles?

4 MR. HINGA: Object to the form of  
5 the question.

6 A. From my point of view while I was -- while I  
7 was there, we were trying to make the  
8 steering inputs that would produce a  
9 rollover response be extremely high. So in  
10 that context of live steering inputs  
11 producing a rollover response and larger  
12 inputs maybe than a competitive vehicle,  
13 perhaps this is referring to if you've got  
14 data that supports that, provide it.

15 Q. Do you know of any data that would support  
16 that Bronco II drivers would be less likely  
17 to lose control than drivers of other  
18 vehicles?

19 A. I think some of the data that we had -- that  
20 we had from subjective evaluations indicated  
21 that our vehicle was very stable  
22 handling-wise compared to, say, a Jeep CJ5.  
23 So we certainly thought that the CJ -- that



1 the Bronco II was a better handling vehicle  
2 than a CJ5.

3 Q. So that's what you were relying on, the  
4 driver's ability to handle the Bronco II as  
5 opposed to the Jeep?

6 A. It could have been that kind of data or it  
7 could be simulations. I mean, it's -- See,  
8 that's what the handling evaluation reports  
9 would be. And any engineering analysis that  
10 shows understeer under all loaded  
11 conditions, that would be supportive of that  
12 fact.

13 So I think it's fairly clear the way  
14 it's stated here. And generally, these  
15 bullet points to the best I can recall  
16 actually supported that case, that argument.

17 Q. Let me show you another document -- it's  
18 Bates number 420 -- which is a very poor  
19 copy, but apparently it's a document you  
20 authored around January 22nd, 1981.

21 A. John Dziuba signed this. Now, he may have  
22 authored this and used -- be sitting in for  
23 me in my office when he sent this out. So I

1 didn't necessarily author this.

2 Q. That's not your signature?

3 A. No. That's John Dziuba for me. So this  
4 would have been a situation where I might  
5 not have been there and he may have written  
6 this letter and sent it out under my  
7 signature acting as the acting supervisor.  
8 This is J. C. Dziuba. That's his signature.

9 Q. When it talks about the Yuma Utility 4 x 2,  
10 that is talking about the Bronco II  
11 prototype?

12 A. That's talking about the Bronco II  
13 two-wheel-drive derivative. If it says  
14 4 x 2, that means it's the two-wheel-drive.

15 Q. No. 4 x 4, two-inch --

16 A. Oh, I'm sorry.

17 Q. Why don't you just read the heading, if you  
18 can, on that document.

19 A. Yuma Utility 4 x 2, two-inch --

20 THE WITNESS: I can't read that  
21 next word. What's that next  
22 word right there? Can you see  
23 it? I can't see it.

1 MR. HINGA: Yuma Utility 4 x 4  
2 two-inch production in -- I  
3 don't know whether -- in  
4 overall vehicle center of  
5 gravity feasibility study.  
6 It's probably reduction.

7 THE WITNESS: Reduction?

8 MR. HINGA: Reduction.

9 A. What's the date on this? January 22nd?

10 Okay. Yeah, I know what this is.

11 Q. Tell me about it.

12 A. Sorry?

13 Q. If you know what it is, tell me about it.

14 A. I asked John to put out -- I remember what  
15 this was. I just asked John to put out a  
16 letter, and he just arbitrarily picked two  
17 inches reduction to see what action it would  
18 take. That's where we collected all the  
19 information back from the other activities  
20 of what the engineering consequences would  
21 be of reducing the center of gravity height  
22 by two inches.

23 Q. What would you have to have done to reduce

1 the CG by two inches?

2 A. Well, that was all summarized in the -- I  
3 think it's the February 5th report. That  
4 report was collecting data, and the February  
5 5th program report that we have details what  
6 those -- what those trade-offs were. That's  
7 where it was summarized.

8 Q. Okay. They wanted to increase the front  
9 track two inches overall, one inch per side?

10 A. And we looked at doing that.

11 Q. Could you do that?

12 A. I don't think we did, no.

13 Q. Why did Mr. Dziuba put this memo out? I  
14 mean, why did y'all want to consider  
15 widening it by two inches?

16 A. Well, remember what I told you was --

17 MR. HINGA: Excuse me. Object to  
18 form. Widening or lowering?

19 Q. Increase the front track width two inches.  
20 I thought that's widening?

21 A. Widening.

22 This was when I was looking at -- hey,  
23 before we get started off with this program

1 and we start doing the production design, do  
2 we want to make it wider? Do we want to  
3 make it lower?

4 So the answer to that is not just to  
5 pull it out of the air. The answer to that  
6 is to send out a letter to those people that  
7 John sent that to and ask them, what do you  
8 think about doing this? What's the  
9 consequences of doing this? What's that  
10 going to mean if we try to do this? And  
11 that just starts to give us an envelope  
12 within which to work.

13 Q. But why would you want to do that?

14 A. What I was trying to make is the T on 2H or  
15 the T on H stability factor as high as  
16 possible.

17 Q. To reduce the rollover propensity?

18 A. Just to make it as high as possible. It  
19 helps the handling, the -- It trades off  
20 other things. If I make that too high, then  
21 I lose ground clearance. If I make the  
22 vehicle too wide, the width goes up.  
23 There's a lot of negatives with doing that.

1           So this is just an investigation,  
2           specifically like it says there.  What  
3           happens if you try to make it wider or  
4           lower?

5       Q.  Well, just so it will be clear, is there  
6           anything -- any advantage to making it wider  
7           or lower other than reducing the rollover  
8           propensity?

9       A.  Yeah.

10      Q.  What?

11      A.  Because it makes the vehicle bigger.  It  
12           makes it -- It makes the load transfer  
13           different.  It's -- It's moving it more  
14           towards a bigger vehicle.  So, you know,  
15           this is making a bigger vehicle.  Instead of  
16           it being this size vehicle, we're now making  
17           it somewhere back towards the bigger  
18           vehicle.

19                So there's differences between big and  
20           small vehicles.  We're trying to make a  
21           small vehicle.  What this is saying, if we  
22           wanted to make this vehicle bigger, what  
23           would it take to make it bigger?

1           So we're trying to determine -- since  
2           bigger means increases in weight, other  
3           trade-offs, if we're trying to make it  
4           lower, what does that mean? Those  
5           individuals that was addressed to were the  
6           right people with the expertise in the  
7           company to answer that question as opposed  
8           to me, you know, unilaterally deciding what  
9           to do.

10           So, you know, this is a part of this  
11           team process I told you about of going out  
12           and collecting the information and then  
13           making the decision. That request for  
14           information led to our recommendations.

15       Q.    But y'all were saying you were doing this  
16           because you wanted to build a bigger  
17           vehicle?

18       A.    No. I wanted to see -- I just wanted to see  
19           what would happen if we tried to make it  
20           wider or lower. So I asked John, hey, if we  
21           wanted to make this wider or lower, what  
22           would the consequences be? Send a letter  
23           out to these guys and have them tell us what

1           it's going to be. Then we'll collect up the  
2           information and make a recommendation if we  
3           want to make it wider or bigger.

4                     It's something you -- It's hard to do  
5           later in the program. And if you wanted to  
6           do it, this was the time to do it, so we  
7           asked the question.

8    Q.   Why would you want to reduce the center of  
9           gravity by two inches other than to help the  
10          rollover propensity?

11                    MR. HINGA: Object to the form.

12   A.   It helps the roll gradient. It reduces the  
13          roll gradient. I don't have to have such  
14          big stabilizer bars maybe.

15                    But I may not want to do it, and that's  
16          why I'm sending the question out, meaning I  
17          want to know what's the effect on ramp and  
18          brake-over. So it's not a question of  
19          wanting to do it. It's I wanted to see what  
20          the effect would be of doing it.

21   Q.   So you're saying you didn't think you needed  
22          it, but y'all just wanted to see what --

23   A.   I wanted to see what it was, and then I



1           would then make a decision based on what the  
2           trade-offs were of where I thought it ought  
3           to be.

4       Q.   And you say after you got this information  
5           together, that you came out with a program  
6           report?

7       A.   Right.

8       Q.   Which --

9       A.   February 5th.

10      Q.   February 5th?

11      A.   You have it as one of the exhibits.

12      Q.   Yes, I know you're familiar with this.

13      A.   That's the one.

14                       MR. ALLEN:  And I've got a note  
15                       here, I need a better copy of  
16                       this one, too.

17                       (Off the Record discussion.)

18      Q.   Program report, February 5th, 1981.  And  
19           that's where the famous five proposals were  
20           made?

21                       MR. HINGA:  Object to the form of  
22                       the question.

23      A.   Yeah.  John's letter was requesting

1 information to lead into that.

2 Q. And you, I think, said earlier that some of  
3 the proposals really -- you weren't really  
4 thinking they would ever be done. You just  
5 were just proposing them just to be  
6 proposing them?

7 A. We looked at them, and we looked at them and  
8 thought the trade-offs and for what little  
9 value we'd get for them, they weren't worth  
10 the trip. So we recommended, I think,  
11 proposal two.

12 Q. Proposal five, I think it said that it  
13 reduces the vehicle height an additional one  
14 inch from proposal four for a stability  
15 index of two point two five.

16 Did the vehicle ever reach two point  
17 two five?

18 A. I don't think so, but I don't think we felt  
19 that was feasible.

20 Q. This proposal has major front and rear sheet  
21 metal revisions and results in a vehicle  
22 height of only point five inches higher than  
23 the 4 x 2 truck due to a fifteen-inch versus

1 a fourteen-inch tire on the 4 x 4 versus  
2 4 x 2.

3 Was part of this proposal fourteen-inch  
4 tires?

5 A. I think it might have been. Can I look at  
6 that? I'll tell you.

7 Q. Sure. I'm not finished going over it, but  
8 go ahead.

9 A. I think it was. I think it was.

10 Q. Fourteen-inch tires?

11 A. I think it was.

12 Q. Did y'all ever put fourteen-inch tires and  
13 wheels on it to see what happened?

14 A. We did put them on there. I believe there  
15 were some tests run with fourteen-inch  
16 tires.

17 Q. Were y'all not satisfied with ground  
18 clearance then?

19 A. This is ground clearance. They looked  
20 terrible. We didn't think the customer  
21 wanted them. As you've seen with these  
22 vehicles, people want bigger and bigger  
23 wheels and tires. That's a tiny wheel.

1 Q. Did you expect people when you sold the  
2 Bronco II to put bigger wheels and tires on  
3 it?

4 A. We wanted to put big enough wheels and tires  
5 on there so they wouldn't do that. So we  
6 put big wheels and tires --

7 You know, basically the wheel and tire  
8 size, we certainly -- we didn't think we  
9 would sell vehicles with fourteen-inch  
10 wheels and tires. We just didn't think  
11 they'd buy them.

12 Q. Because people want bigger --

13 A. They want fifteen-inch wheels and tires.  
14 They want -- They want it bigger, so we  
15 wanted to provide -- wanted to make the  
16 vehicle so it would work with a decent, you  
17 know, impressive-looking tire on the  
18 vehicle.

19 Q. Well, did you ever expect at that point that  
20 people would take the tire y'all released  
21 for the vehicle and put bigger tires on it?

22 A. Well, our policy, I believe, is that you  
23 replace the tire size on the vehicle with

1 the original equipment size and -- and tire.  
2 And we offered tires as options that we  
3 think are appropriate for the vehicle.

4 There are some people who will go out  
5 and put tires on -- There are some people  
6 who will go out and jack their vehicles up  
7 six inches in the air. Right? So we know  
8 people do do things like that against our  
9 recommendations.

10 Q. But y'all saw that that would probably be a  
11 problem?

12 MR. HINGA: Object to the form of  
13 the question.

14 A. I don't know if we thought it would be a  
15 problem per se. We published clear  
16 guidelines on what we -- what we thought the  
17 customer should do.

18 Q. I got off on that sidetrack.

19 Back to proposal number five. Did you  
20 type this out? I mean, did you dictate this  
21 or is this somebody else's dictation?

22 A. I don't remember exactly how it came to be,  
23 but I probably had various people write up

1           what the proposals were. And I finalized --  
2           did the final editing and the final writing  
3           on that. My secretary typed it.

4    Q.    Proposal five, in addition to the  
5           fourteen-inch tires and wheels, there was a  
6           suggestion of increasing the frame vertical  
7           offset two point three six inches.

8    A.    That would have been a whole new front end  
9           frame.

10   Q.    Front?

11   A.    Um-huh. (Positive response.)

12   Q.    And one point three six inches at the rear.

13   A.    Right, where we were having to start off  
14           with a -- throw away the current frame  
15           design and start off with a new frame  
16           design.

17   Q.    Have to retool and start all over?

18   A.    Retool. Wouldn't be -- Wouldn't be as  
19           common with the basic Ranger.

20                 And then the sheet metal, the tires  
21           would have -- when you did your turn angles,  
22           they would have potentially gone through the  
23           fenders and the tires be damaged. So you'd

1           have to redesign all your fenders, your  
2           sheet metal.

3       Q.    This was, again, February 5th of '81.  Could  
4           it have been done -- Well, it says here it  
5           couldn't have been done by Job One, 1983.

6       A.    Yeah, we could have done it and delayed Job  
7           One, but --

8       Q.    You say you could have?

9       A.    We could have done it and delayed Job One,  
10          but all the other things that I mentioned  
11          there would have been compromised.  It would  
12          have come -- It would have brought the  
13          vehicle out later, but I don't think people  
14          would have bought the vehicle with  
15          fourteen-inch tires.  I don't think the  
16          height was right.  The ramp and brake-over  
17          was wrong.  Then you take all the issues  
18          with the amount of jounce trouble we were  
19          planning on having, so we just said, let's  
20          not do that one.

21      Q.    Look at this percentage.  Excuse me.  The  
22          next to the last sentence says that these  
23          changes could not be incorporated into the

1 P/U 4 x 4 for Job One, 1983.

2 A. That also means that the 4 x 4 would have to  
3 continue as planned, and then you have a  
4 completely unique frame and unique pieces  
5 from the 4 x 4. There were some -- Some  
6 aspects of this vehicle were common with the  
7 Ranger 4 x 4, so --

8 Q. Does P/U mean pickup?

9 A. Pickup. That's what it means.

10 So what this meant was that we would  
11 have had more complexity in the plant. We  
12 would have had to essentially design a new  
13 frame, separate.

14 Q. When it says an investment of thirteen point  
15 eight million, what does that refer to?

16 A. That's about what the tooling costs would  
17 have been. That's not the engineering  
18 costs, but the tooling changes to the frame.

19 Q. Did y'all talk about how much the  
20 engineering costs would be?

21 A. We didn't really go any further. I mean, we  
22 looked at the practical advantages and what  
23 we were really getting for what we were



1           doing. And since we didn't have any serious  
2           plan to proceed with that, we didn't get  
3           into the details of what it actually would  
4           take to finish it up from that. All of us  
5           felt that proposal wasn't in the cards.

6       Q.   And the piece cost, fifty-four dollars, what  
7           would that refer to?

8       A.   That would mean the cost of all the  
9           components. The purchase cost of the pieces  
10          that Ford buys to make those would be about  
11          fifty-four dollars a vehicle.

12      Q.   Then it says: Timing - Job One, '83 and a  
13          half. And then in parenthesis, potential  
14          risk on release and samples. What does that  
15          mean?

16      A.   What that means is that we could  
17          potentially, theoretically have done it for  
18          Job One, but we wouldn't have necessarily  
19          released it in the normal timing. So there  
20          would be further -- further risks  
21          financially and possibly timing-wise by  
22          actually not being able to deliver.

23          Everything would have been pushed to the

1 wire to do it. And on samples, it means  
2 that you wouldn't have been able to get  
3 prototypes early enough to complete all your  
4 prototype testing.

5 So what that really means is that --  
6 that you end up with no commonality with the  
7 4 x 4 and that you've got to -- you  
8 essentially would probably not make Job One  
9 and be able to sign the vehicle off  
10 satisfactorily. And you may end up with a  
11 poor quality vehicle, too, because of making  
12 that magnitude of changes from what you had  
13 originally planned.

14 Q. That's a major -- I mean, when you say  
15 magnitude, is that a major change?

16 A. Pretty major, yeah. I mean, when you start  
17 spending ten, fifteen, twenty million  
18 dollars, that's a lot of people, a lot of  
19 time, a lot of work, a lot of people who  
20 have got to put that together.

21 But also, the functional -- the  
22 functional attributes of the vehicle aren't  
23 there with that proposal. You know, the

1 ground clearance, the ramp brake-over, you  
2 know, the wheel and tire size are the ones  
3 that -- all those other things are not there  
4 either.

5 Q. Did you just feel that this expense and all  
6 that would be more than what you would want  
7 to expend to gain a two point two five  
8 stability index?

9 A. I don't think it was just -- It's not just a  
10 financial decision. I mean, the vehicle --  
11 The vehicle would not have done what we set  
12 out to do with that vehicle. It wouldn't  
13 have been the same vehicle we were planning  
14 on producing.

15 Q. It would be bigger?

16 A. It would be bigger. It would be more  
17 car-like, okay, in terms of being lower to  
18 the ground. The wheels would look puny.

19 So I'm going to say that we as a group  
20 looked at that proposal and said, what do we  
21 think about this one? Said, well, that's  
22 not going to cut it, and we worked our way  
23 back down to proposal four and worked our

1 way back that way.

2 Q. So you started with five, and you eliminated  
3 five, tried four --

4 A. We looked at five. We looked -- Yeah, that  
5 was like the extreme. That was more in line  
6 with what Russ had asked. Said, that  
7 doesn't look like that's going to fly; let's  
8 go to the next one back and --

9 When we say these were proposals, these  
10 are paper studies at this point.

11 Q. You never built the vehicle?

12 A. We never built any of these, no.

13 Q. And so the vehicle would have been, what?

14 Two and a half, almost three inches wider?

15 A. Yes.

16 Q. And it would have not changed the wheelbase,  
17 though? That would have been the same?

18 A. The wheelbase would have been the same,

19 yeah. All of those proposals, we  
20 essentially had the same wheelbase.

21 Q. Why didn't y'all think about increasing the  
22 wheelbase?

23 A. It's a major effect on the complete vehicle

1 for weight to increase. You know, you get a  
2 proportionate increase in weight. So if we  
3 increased it two inches, you know, if we  
4 increased it three inches, you know, three  
5 percent difference, right? Such a small  
6 percentage in the total scheme of things.  
7 Why? And then get two hundred pounds  
8 heavier and then get into a different  
9 inertia weight class, then miss your fuel  
10 economy bogeys.

11 I mean, you can extrapolate it right  
12 the way back up -- all the way back up to  
13 the big Bronco if you keep on going. Why  
14 not the extra inch? There's some point at  
15 which you've got to say it's just not worth  
16 it; it's not what we want.

17 Q. Were you somewhat limited, then, in the  
18 engineering -- from the engineering aspect  
19 with the package constraints of the vehicle,  
20 what marketing wanted to put on the market?

21 MR. HINGA: Object to form of the  
22 question.

23 A. Well, remember. The reason this vehicle

1 exists is basically we needed to get -- we  
2 felt at that time that we needed to get  
3 consumers driving smaller vehicles. At that  
4 time, we thought fuel economy prices were  
5 going to be in the two-dollar or even higher  
6 price range, much higher than they even are  
7 today. As you know, the fuel economy --  
8 Corporate Average Fuel Economy has gone  
9 actually sour. It went down, and vehicles  
10 have now gotten bigger.

11 We had actually expected with the fuel  
12 economy crisis that was going on at this  
13 time and fuel economy regulations -- we  
14 didn't have an exact window. We knew fuel  
15 economy standards were coming. We actually  
16 thought fuel prices were going to be a lot  
17 higher. And we really wanted to provide  
18 functional vehicles that would essentially  
19 let the guy who had a big Bronco buy this  
20 small Bronco and still do all the things he  
21 could do with his big Bronco.

22 And so weight is extremely important to  
23 fuel economy, and weight is proportional to

1 size. So the bigger, the heavier,  
2 typically. And we had windowed this size,  
3 and it looked like the competition was going  
4 to have vehicles in this size range.

5 As an engineer working on this vehicle,  
6 we felt the stability index range that we  
7 were going to be in was going to be  
8 acceptable anyway. We had looked at going  
9 to these alternatives. We felt the  
10 trade-offs of those higher level proposals  
11 just wouldn't -- wouldn't give you enough of  
12 an advantage to make it worthwhile.

13 Q. In proposal four, you were shooting for a  
14 stability index of two point one nine.

15 A. Right.

16 Q. Why did you not go with proposal four?

17 A. Well, we -- If I can look at that.

18 Q. Sure.

19 A. I think we had a strike through problem,  
20 reducing ride height with this one.

21 This had some of the same problems as  
22 the other one. We couldn't get rid of the  
23 fifteen-inch wheels. We didn't have the

1           fourteen-inch wheels.

2                       Now we started looking at the relative  
3           differences between proposal four and three  
4           and two relative to what we were trying to  
5           do with the stability index. So we've got a  
6           difference between two point oh three and  
7           going all the way up with proposal four to  
8           two point one nine.

9                       Remember, earlier you mentioned  
10           dividing that number by two?

11   Q.   That four was two point two five.

12   A.   Right. But you divide -- you divide by --  
13                No, five was two point two five.

14   Q.   Oh, okay.

15   A.   Four is two point one nine.

16   Q.   All right.

17   A.   You divide that number by two. You get the  
18           maximum theoretical Gs to roll the vehicle  
19           over for a rigid body with no suspension.  
20           So a two point oh three is a one G, one  
21           point oh one G to roll it over. Two point  
22           one nine is two point oh eight Gs. All  
23           right? Sorry. One point -- One point oh



1 nine Gs. Right?

2 That's a very small difference by the  
3 time you've got the suspension. So when you  
4 look at suspension effects on the Gs to  
5 cause incipient rollover, we felt you could  
6 make more difference with the suspension  
7 than from making the trip from two point oh  
8 three to, say, two point one nine.

9 Considering the strike through and the  
10 crash through, as a team we basically picked  
11 proposal two.

12 Q. What is a strike through?

13 A. Strike through is where you've reduced the  
14 ride height of the vehicle so on a bumpy  
15 road, on a bumpy road off-road, the wheel  
16 comes back and the suspension bottoms out  
17 and the suspension actually hits the frame.

18 So it's the ride travel, if you will.  
19 It's when you start off with this basic  
20 vehicle, it's got the frame, the engine, and  
21 then you lower it, you've reduced the amount  
22 of room there is for the axle to move. So  
23 now you start introducing other problems.

1           You start -- The strike through causes  
2           the frame to break and fatigue. Then you  
3           have to beef up the frame. Okay? The  
4           strike through can cause, you know, ride  
5           problems and other problems. You've also  
6           reduced your ramp, brake-over and your  
7           crossover angles.

8           When we started looking at these  
9           differences, we basically worked our way  
10          back. And as a group, we said, look,  
11          proposal two looks like that will be okay.  
12          That's the one we want to use.

13        Q.    Okay.

14        A.    And then we felt with that kind of stability  
15              index as we had in proposal two, with a two  
16              point oh three, those were similar to the  
17              4 X 4s and other vehicles that we produced,  
18              similar in an Econoline 350, our  
19              twelve-passenger Econoline 350, somewhere in  
20              the same ballpark. So we said, look, let's  
21              go ahead. Let's carry it on and let's just  
22              put the suspension on the vehicle, the  
23              stabilizer bars, steering, do all the right

1 things.

2 Q. What was the cost of going to proposal two?

3 A. Proposal two was an eleven-pound weight  
4 increase, a three-dollar -- a three-dollar  
5 variable cost, and about four million  
6 dollars in investment.

7 Q. What was the -- What did you actually do  
8 under proposal two?

9 A. I think that's what we did. I think we  
10 spent all of that.

11 Q. I understand --

12 A. Oh, what did we change on the vehicle?

13 Q. Yes. What were the changes?

14 A. Oh, okay. This is where we changed -- we  
15 went to a fifty-six point four-inch track.

16 Q. And you did that by wheel offset?

17 A. No, no, no. We did that by --

18 Q. You increased the rear axle; is that right?

19 A. We increased the front track and the rear  
20 track. I think we were at fifty-four point  
21 four to start with. I'll go back and look  
22 at the chart in the back.

23 We increased -- We increased the track

1 width, and we reduced the center of gravity  
2 height. We were at fifty-four point nine  
3 when we started on the track width. When I  
4 got the program, we were at fifty-four. So  
5 we increased it to fifty-six point four.  
6 And then the additional point five was with  
7 the -- we knew we could do a little bit with  
8 wheels later if we wanted to.

9 So fifty-six point four is what we  
10 started doing all our testing with, and  
11 that's what we designed. And then we  
12 lowered it an inch. And we thought that was  
13 pretty good. We were going from a stability  
14 index of one point eight five to two point  
15 oh three.

16 And we didn't think proposal three gave  
17 us enough -- a very small G increase. And  
18 proposal four started to really compromise  
19 the product, and five really compromised the  
20 product. So we felt proposal two was the  
21 right way for Ford to go. That's what we  
22 recommended.

23 Q. Would it be David Bickerstaff recommended to

1 Ford management to go with this proposal?

2 A. I put that paper together on behalf of all  
3 the parties involved and with the consensus  
4 of everybody involved, and that's what we  
5 presented.

6 Q. You keep saying we. I mean, you and who  
7 else?

8 A. Me, Fred Drotar, Rich Antoun, the other  
9 people involved, the input of all the other  
10 supervisors. We had a meeting, if I recall  
11 correctly, that -- where we reviewed this  
12 and we made sure everybody agreed. And then  
13 we took that paper up to upper management.

14 Q. Who did you go with?

15 A. I believe that paper was reviewed ultimately  
16 with Ed Hagenlocker in a program management  
17 review where we said, this -- we had -- I  
18 think we had to do that to get the -- to get  
19 the approval for the funding and to make the  
20 engineering changes.

21 Now, with his approval, we then  
22 issued -- we had product planning issue  
23 engineering letters that authorized us to

1 make those changes to the program.

2 Q. But as I understand what you're telling me,  
3 when you sent this up to upper management  
4 for approval, you had already said, we want  
5 to go with proposal two as opposed to them  
6 looking at it and saying let's go with  
7 proposal two as opposed to proposal four.

8 A. Well, that report actually has a  
9 recommendation in it. I believe that  
10 recommendation is we recommended we go with  
11 proposal two. That was taken, and that's  
12 how it was recommended. It was accepted as  
13 recommended. We explained our rationale.

14 And you should also know, nobody asked  
15 me to do that. Nobody asked me to do that  
16 report. I looked at the vehicle and I took  
17 the initiative and said, look, we're at one  
18 point eight three. I think we should  
19 increase this.

20 And I had done those studies. I had  
21 the blessing of my management to spend the  
22 money to do that work, to do those studies,  
23 and they endorsed what I was doing to make

1 an intelligent choice over if we wanted to  
2 widen or lower the vehicle. And then it was  
3 taken forth and accepted as presented.

4 Q. Well, did you feel it was important to  
5 increase the stability index from one point  
6 eight five to over two?

7 A. There are some people that felt that two is  
8 kind of, quote, a magic number and we would  
9 like to be above two. Okay? But there  
10 wasn't really any science behind it other  
11 than dividing it by two gives the Gs at  
12 which -- the incipient rollover.

13 Because most vehicles, the maximum  
14 handling limit -- even the Explorer and  
15 things like that -- is around point six,  
16 point seven Gs. Most customers never get  
17 above point three, point four Gs. If they  
18 get into the point six, point seven Gs,  
19 they're generally an accident waiting to  
20 happen because that -- that requires highly  
21 skilled driving to be driving in those G  
22 domains.

23 So to push from -- You know, when a

1           rollover threshold stability level is at two  
2           point one four, you know, two point oh  
3           three, two point oh five, we're in the one  
4           point oh two Gs, and then you lose whatever  
5           you lose because of the roll gradient in the  
6           vehicle. So there's been some reduction  
7           from that.

8                        So we felt that by putting a stiff  
9           suspension on, we could be in the point  
10          eight, point nine G region when the  
11          incipient rollover would occur with the  
12          suspension, which is way beyond where a  
13          normal situation occurs, and that these  
14          small differences were relatively  
15          insignificant. That was the basis for our  
16          recommendation for two.

17        Q.    You did say on page three that you  
18              recommended to proceed with proposal two  
19              revisions to the utility and the pickup  
20              4 x 4 to maximize stability index and meet  
21              reasonable cost and timing constraints.

22        A.    Yes, we did.

23        Q.    And the timing constraints would be Job One?



1 A. The timing constraints would be to get a  
2 vehicle into production, yeah.

3 Q. And then you also recommended delete the  
4 roof-mounted luggage rack as an RPO, which  
5 we've talked about earlier, due to adverse  
6 effect on stability index.

7 A. Yeah. We said basically if you stick a  
8 couple of hundred pounds up on the roof,  
9 that's going to make that number lower. So  
10 before you put that on there, why don't you  
11 think about that some more. That's what  
12 that was saying.

13 Q. Well, did y'all ultimately release the  
14 vehicle with the luggage rack?

15 A. I think they may have gone back and  
16 reconsidered. And the fact that they had  
17 increased the stability index -- you know,  
18 maybe they felt that then, okay, now we can  
19 put the -- now we can put the roof rack back  
20 on.

21 Q. So did they have roof racks?

22 A. I think there's a roof rack on there. I  
23 don't know. I think there's a roof rack

1           now -- or there was a roof rack eventually  
2           put on there.

3       Q.    What would the maximum capacity be for a  
4           luggage rack on the roof of --

5       A.    I think we have a warning on them, a hundred  
6           pounds. It may be fifty. I don't remember  
7           exactly.

8       Q.    Did you feel like a hundred pounds on the  
9           roof could make that much difference?

10      A.    Well, it'd make a little bit of difference,  
11           not a hell of a lot of difference.

12      Q.    Enough that you would recommend deleting it  
13           as an option?

14      A.    The roof configuration of that vehicle, you  
15           know, was glass wrap-around on the sides.  
16           Anyway, said why -- why have it? We talked  
17           about it because we did make it a  
18           recommendation.

19      Q.    So whoever decided to put the roof rack on  
20           it, it was not David Bickerstaff?

21                   MR. HINGA: Object to the form of  
22                   the question. Foundation.

23      A.    No. I think later on, the stability index

1           actually was increased from that level and I  
2           think they -- they decided to put it back  
3           on. I just -- I felt at that particular  
4           point in time, let's hold off on the roof  
5           rack.

6       Q.    I think you mentioned on page one that you  
7           could have a point one oh reduction in  
8           stability index with a hundred and  
9           thirty-pound roof rack.

10       A.   Is that mentioned on there?

11       Q.    Yes.

12       A.    Okay. That would be point oh five Gs. With  
13           the springs, point oh three or something.

14       Q.    Did you attend any of the tests at the  
15           Arizona proving grounds in January of 1981?

16       A.    I didn't personally go. My guys went.

17       Q.    Did you see any of the videotapes --

18       A.    I did see one -- some -- one piece of  
19           videotape of some of the J-turn tests.

20       Q.    Were you satisfied with the J-turn test  
21           results?

22       A.    They looked like we were going in the  
23           direction we wanted to go in. I wanted to

1           put more into steering the vehicle and  
2           bigger front stabilizer bars and get the  
3           wheel angle that would induce lift higher.

4       Q.    What was the lowest speed in the J-turn that  
5           it would lift the wheel?

6       A.    I don't remember specifically at that time.  
7            But at that time, we were dealing with very  
8            early prototypes, you know, suboptimum  
9            stabilizer bars, so --

10      Q.    But you don't remember?

11      A.    Don't remember.

12      Q.    There is a note that there was a problem  
13            with the right turn as opposed to the left.

14      A.    I don't know if it was a problem or just a  
15            difference.

16      Q.    Well, it says -- worse was the word it used.

17      A.    Okay.  You know, it's possible if we're  
18            doing the test with one person in the  
19            vehicle, the vehicle is not completely  
20            symmetrically loaded, the steering linkage  
21            is slightly asymmetrical, so there might  
22            have been some explanations there.  Could  
23            also be geometry to do with the plane of the

1 proving ground, too, not maybe being exactly  
2 level.

3 Q. Are you aware of any tests that have been  
4 done subsequent to that that would show that  
5 the Bronco II is more susceptible to  
6 rollover in one direction as opposed to the  
7 other?

8 A. I haven't seen anything subsequent that  
9 shows that that might not just have been a  
10 quirk of that particular vehicle.

11 Q. In any of the cases that you've testified  
12 in, has that ever been an allegation or have  
13 you ever been questioned --

14 A. I don't remember discussing it ever in any  
15 of the other cases.

16 Q. You said the front end is not -- the linkage  
17 is not symmetrical. What do you mean by  
18 that?

19 A. It's a Haltenberger linkage. It's not like  
20 a rack and pinion. The steering gear goes  
21 partway down to the arm, and there's a  
22 theoretical point which it crosses over. So  
23 one arm goes down to one wheel and the other

1 steering arm goes up the center of the  
2 linkage, so it's not totally symmetrical,  
3 the steering linkage. It's a Ford patent.

4 Q. Is the Twin-I-Beam suspension, is it  
5 symmetrical?

6 A. No, it's not absolutely purely symmetrical.  
7 One axle has to be in front of the other.  
8 The radius arms are pretty much symmetrical.  
9 The centers of the wheels are symmetrical.  
10 But the arms cross over each other, so one  
11 pivot has to be slightly ahead of the other.  
12 One has to be slightly forward.

13 Q. For example, would the length of the I-Beams  
14 be the same?

15 A. They might not be exactly the same, but  
16 close. The length is more similar. I can't  
17 remember exactly on the 4 x 4. They may not  
18 have even been exactly the same.

19 Q. Going back to the testing, January 28th,  
20 1981. The third page talks about the  
21 evaluation items, and it indicated wider  
22 wheels, six inches or seven inches versus  
23 five point five inches. Is that in order to

1           widen the track width, a suggestion to widen  
2           the track width?

3       A.   Well, you can have -- the wheel -- The track  
4           width, you know, is measured from the center  
5           of the tire. That's not really the full  
6           width. That's another little quirk in this  
7           whole thing. But the tires are -- You  
8           measure the track width from the center of  
9           the tire, the center of the tire.

10                 So if you put six-inch wheels on or  
11           seven-inch wheels, you may not change the  
12           track width at all. But actually, there's  
13           tire and rubber more outboard on the  
14           vehicle, but the track width and stability  
15           index would still be exactly the same.

16                 So we just -- I think we just wanted to  
17           see what difference a wider tire would make.

18       Q.   And then it mentions increased track width,  
19           so that's --

20       A.   Moving the center of the wheels out. Do you  
21           see what I'm saying when I say that? You  
22           know --

23       Q.   Yes.

1 A. -- a wider tire would actually make it  
2 wider, but the track width would stay  
3 exactly the same.

4 Q. There was a mention of increasing the roll  
5 stiffness, and we talked about that with the  
6 stabilizer bars, I guess.

7 A. Right.

8 Q. Increase shock damping.

9 A. That's the stiffer, harsher shock absorber  
10 if you'd like.

11 Q. And I've got some notes here that I can't  
12 read, some handwritten notes below that.

13 A. I can't read them either. Okay. The second  
14 one says second stage spring. There are two  
15 different springs. One is a -- One is a  
16 single stage rear spring, and the other one  
17 is a two-stage spring, where the spring gets  
18 stiffer once you get to a certain loading  
19 point. So that's talking about a second  
20 stage spring.

21 And I think this is talking about a  
22 different kind of stabilizer bar. And I  
23 think this is a steering ratio, but I



1           can't -- I can't really read it properly. I  
2           don't remember what it was.

3                   I think I've read that off other  
4           copies, so there may be more legible copies  
5           around.

6    Q.   There's another program report that I can't  
7           read. Maybe you can tell me about it and  
8           I'll follow up on it.

9                   MR. HINGA:  Somebody read the  
10                   Bates number and the date if  
11                   you can.

12                   THE WITNESS:  The Bates number is  
13                   lots of zeroes and 390 on the  
14                   end.

15                   MR. HINGA:  And the date?

16                   THE WITNESS:  And the date of  
17                   the -- it's February something.  
18                   It looks like '81.

19    A.   Okay.  What this is, this is now getting  
20           into the detail of executing what we had  
21           agreed to do.  So, you know, there were  
22           preliminary studies, and now this is  
23           actually going through the fine points of

1 specifically what we're going to change and  
2 specifically what we're going to spend to  
3 actually make -- to actually make all of  
4 this work and how the money is going to be  
5 allocated.

6 Q. Did you have input into that proposal?

7 A. I think we did, yeah. This looks like  
8 actually it was done by probably Rich  
9 Antoun.

10 Q. Is that basically execution of proposal two  
11 that we talked about earlier?

12 A. Yes. That's what it is, yeah.

13 Q. Have you seen these documents before? Is  
14 that the same one you were just looking at?

15 A. I think so, yes.

16 Q. The quality of copies is terrible. Do you  
17 remember enough about that last paragraph to  
18 read it, because I have difficulty.

19 A. Well, this just says in order to minimize --  
20 in order to minimize design cost, weight,  
21 spring effects I think it says, and to  
22 provide the lowest risk alternative -- I  
23 can't read this -- proposal something,

1           proposal two, let's see, is recommended.  
2           Without proposal one and two -- I don't  
3           know. It's really hard to read. You're  
4           right.

5                     It's talking about a problem with the  
6           flange in the fuel tank.

7                     MR. HINGA: I think I'd rather  
8                             have you not speculate, David,  
9                             as to what it might say.

10                    THE WITNESS: Yeah. Well, it says  
11                            that. It actually says that.  
12                            I'm just trying to see if  
13                            there's and's, is's or the's in  
14                            between. You know, I'm trying  
15                            to read the nouns and the  
16                            verbs.

17           A. I can't really read it, but I'm sure there's  
18           a clearer copy of that available.

19           Q. Let me show you Bates 388 which -- I think I  
20           can read this. I believe -- First of all,  
21           let me see. Did you sign that?

22           A. That's my signature, yes.

23           Q. You know about this memo?

1 A. Let me see.

2 MR. HINGA: Why don't you read the  
3 date.

4 A. The date is February 23rd, 1981. This is to  
5 other peers of mine.

6 It says: Attached is the cover letter  
7 and program report which outlines the four  
8 proposals investigated and the consensus  
9 recommendations pertaining to the management  
10 request to improve the stability index of  
11 the Bronco II. Please review its contents,  
12 and if a mark-up is necessary for your  
13 concurrence, do so on this copy and return  
14 to me by February 27th, 1981. The resulting  
15 changes will then be incorporated into the  
16 paper which will be submitted to you for  
17 final concurrence the week of March 2nd,  
18 1981.

19 Q. What management group requested that y'all  
20 improve the stability index of the  
21 Bronco II?

22 A. Well, I went to management and said, I think  
23 we should improve it. And then they

1           endorsed my opinion, and then I used that  
2           leverage to tell these guys to go do it.

3       Q.   Who in management did you have to get  
4           approval from?

5       A.   We went to Hagenlocker, and we reviewed what  
6           we wanted to do with proposal two which,  
7           remember, was quite a change, to widen the  
8           vehicle and lower the vehicle. And at that  
9           point, management directed us, okay, to do  
10          it. And so now I've got to go do it.

11      Q.   So that's Bickerstaff's request through  
12          management --

13      A.   Right.

14      Q.   -- to increase the stability index?

15      A.   Right. So basically I had taken the system  
16          and had them change something they were  
17          going to do and made it better, and now I  
18          was using their authority to make it happen.

19      Q.   Who is M. W. MacNiven?

20      A.   MacNiven worked in truck operations in the  
21          durability department, and he was  
22          responsible for testing vehicles to make  
23          sure that they -- they stayed in one piece

1 and didn't break during testing.

2 Q. In '81, what would his position have been?

3 A. I think he would have been durability  
4 supervisor.

5 Q. Let me ask you about this Bates number 998.  
6 It talks about -- the first paragraph  
7 says -- And it's to Mr. E. W. Brayboy. Who  
8 is he, first of all?

9 A. Brayboy would have been, I think, the  
10 manager of durability. There were several  
11 other areas he had, and I think Brayboy was  
12 the manager of MacNiven.

13 Q. What is a PSR? An attached PSR it's  
14 referring to.

15 A. I think it's a procurement services request  
16 or -- if I could see the context, I might be  
17 able to --

18 Q. Yes. That's what I want you to do.

19 MR. HINGA: What's the date of  
20 that?

21 THE WITNESS: The date of this is  
22 March 13th, 1981.

23 A. Okay. The PSR is a shop report, I think, or

1 a shop request. And what we're doing here  
2 is we're asking MacNiven to take one of the  
3 prototypes and modify it to what we had  
4 recommended to be proposed. So we had some  
5 workhorse prototypes, and he had to get them  
6 built.

7 Q. The wide track that he's referring to would  
8 be the proposal --

9 A. The proposal to, yeah, the fifty-six point  
10 four. We were trying to get the vehicles  
11 updated now to what we'd designed.

12 So in February, we -- In February, we  
13 decided to do it, right? And in that --  
14 March 13th, we had just about been completed  
15 with our initial prototype designs, and so  
16 we're asking him to get ready to update his  
17 prototypes.

18 Q. Who is Mr. R. A. Theus?

19 A. He worked for me, and then he also worked  
20 for Jim McClure in development. I had him  
21 assigned over there on the handling. So he  
22 also worked underneath me and for Rich  
23 Antoun.

1 Q. Is he still with Ford?

2 A. I think he is. I saw him the other day.

3 Q. What's his position?

4 A. He was an engineer, development engineer.

5 No direct reports. A worker bee.

6 Q. I'm sorry?

7 A. A worker bee.

8 Q. Who is Mr. F. J. Horstmann?

9 A. Frank Horstmann is a -- was a development  
10 engineer and has been with the company for a  
11 long time. He worked with me when I was in  
12 development when I first started with Ford.

13 Q. Do you know where he is now?

14 A. He has left Ford Motor Company, I believe,  
15 and he does -- he's still -- but he still  
16 works as kind of a consultant and does  
17 testing and development work.

18 Q. How about Mr. H. S. Kert, K-E-R-T?

19 A. Harry Kert I think is retired. He was my  
20 supervisor in development, and he was in  
21 charge of development at this time, also.  
22 He's one of the people I listed for you  
23 earlier.



1 (Brief recess was taken.)

2 Q. I want to ask you about Bates number 592,  
3 which is apparently a program report of  
4 January 6, 1982, which is a comparison,  
5 apparently, between the Bronco II and the  
6 S-10 Blazer. Do you recall that report?

7 A. I do.

8 Q. Were you involved in the preparation of that  
9 report?

10 A. I think that's a development report. Is  
11 that published by Jim McClure? Does it say  
12 who it's from?

13 Q. It's the one comparing the center of gravity  
14 heights between the SLA and the Twin-I-Beam  
15 suspension.

16 A. I don't know who did this, actually. Truck  
17 package engineering. It was done by Dave  
18 Chamberlain's people.

19 Q. Who?

20 A. David Chamberlain. This was done by truck  
21 package engineering and provided to us.

22 Q. Why were you provided that particular  
23 document?

1 A. It was of interest to us to know where we  
2 stood versus the -- where we stood versus --  
3 the Bronco II versus the S-10.

4 MR. HINGA: What's the Bates  
5 number?

6 MR. ALLEN: This is 592.

7 Q. Do you agree with the program report, that  
8 the Bronco II is three inches higher than  
9 the S-10 Blazer?

10 A. Let me see the context, see what it says.

11 It's the overall height. Ride height  
12 differences with production tires and jounce  
13 travels, three inches. Okay? It's not  
14 three inches center of gravity height.

15 Q. It's not?

16 A. No.

17 Q. Do you agree with the portion of the report  
18 that says basically that the Twin-I-Beam  
19 suspension causes the centerline of the  
20 crank, which I guess is the engine, to be  
21 one point six inches higher than with the  
22 SLA?

23 A. Yeah, that sounds about right.

1 Q. Would that have an effect on the center of  
2 gravity?

3 A. Small amount, yeah.

4 Q. Not enough to worry about?

5 A. No. I mean they might have an inch  
6 difference in the center of gravity height  
7 in the vehicle. I don't know what the exact  
8 number ended up. Did that report address  
9 center of gravity height? I don't think it  
10 did.

11 Q. No. This report --

12 A. Just this package.

13 Q. Just from having the Twin-I-Beam, how much  
14 higher you have to raise the engine. As I  
15 understand it, that's because the -- just  
16 basically the way the Twin-I-Beam is laid  
17 out, you can't get the engine lowered?

18 A. Plus because the axles cross over underneath  
19 the engine. That sounds about right.

20 Q. When you're talking about stability of a  
21 vehicle, can't inches make a difference when  
22 you're referring to center of gravity?

23 A. An inch makes a difference. It doesn't make

1           it unsafe.

2       Q.    I mean, if it didn't make a difference,  
3            y'all wouldn't have gone to the trouble you  
4            did to widen the track width by just an inch  
5            or so?

6       A.    Right.

7       Q.    And would it be correct that if you're -- if  
8            a truck or a Bronco II or whatever is in --  
9            at the point where it's about to roll over,  
10           that an inch could make a big difference?

11      A.    Well, it would take -- If it was about to  
12            roll over, it would just be just a small  
13            difference to roll over at a different G  
14            level at different conditions. I mean, if  
15            it was tripped, it would make a small -- it  
16            would make a -- there would be some finite,  
17            small difference.

18      Q.    And is it true that the center of gravity  
19            changes in a dynamic situation?

20      A.    It certainly is.

21      Q.    And is it important for the center of  
22            gravity to stay low when a vehicle is going  
23            into a turn?

1 A. Well, the body rolls, so the center of  
2 gravity is going to tend to move and change.  
3 And if the inside wheels go up and the  
4 outside ones go down, the center of gravity  
5 is probably going to raise when you're going  
6 around -- when you're cornering. It's going  
7 to go up a little bit.

8 Q. And the amount that it raises, would that be  
9 important?

10 A. There's not much you can do about it. It's  
11 physics.

12 Q. But it's a fact that as you go around the  
13 corner and the body rolls, the center of  
14 gravity raises?

15 A. If I take this rigid body and sit it on this  
16 corner and tip it up and this is the center  
17 of gravity in the middle here, it's going to  
18 go up when I tip it up, right? Can't do  
19 much about it. It goes up.

20 Q. Have you read any documents that would talk  
21 about the jacking effect of the Twin-I-Beam  
22 suspension in a cornering maneuver?

23 A. There's various opinions, and there's been a

1 lot of discussion about what is the  
2 definition of jacking and what's going on  
3 with jacking. And there's documents that in  
4 my opinion erroneously refer to the subject  
5 of jacking, which is something different  
6 than we generally refer to as jacking. But  
7 jacking has been a subject that's been  
8 discussed as it relates to suspension  
9 systems by numerous suspension guys in  
10 various areas.

11 Q. What documents erroneously refer to jacking?

12 A. There's a test report that was used that  
13 used string plots to measure the position  
14 between the wheel center and the fender lip.  
15 And they concluded because the outside --  
16 the outside went up more than the inside  
17 went down, that that was jacking. But I  
18 don't think that's jacking. That's not my  
19 definition of jacking.

20 Q. Who authored that report?

21 A. Jim Avouris.

22 Q. I'm sorry?

23 A. Jim Avouris.

1 Q. And who is he with?

2 A. Ford.

3 Q. So the documents in the report you're  
4 referring to is a Ford internal report?

5 A. Yes, done after all of our work was done.

6 Q. Did he attribute the jacking to the  
7 Twin-I-Beam suspension?

8 A. I don't know what he attributed it to. I  
9 think he just said that it occurs in his --  
10 his version of jacking.

11 Q. And if that were true, if the Twin-I-Beam  
12 did jack the front end, would that have an  
13 adverse effect on the center of gravity in a  
14 turn?

15 MR. HINGA: Object to the form of  
16 the question. Hypothetical.

17 A. If jacking -- Let's put it like this. The  
18 center of gravity going up on some vehicles  
19 when the vehicle corners is a natural  
20 process, just like a catamaran sailing on a  
21 lake. When it starts to tip up, the center  
22 of gravity goes up. It's the same thing on  
23 a vehicle. So I think it's a question of

1 physics.

2 Q. Would there be degrees of jacking or --  
3 excuse me, raising of the center of gravity  
4 in a turn?

5 MR. HINGA: Would there be degrees  
6 in raising the center of  
7 gravity?

8 Q. Let me just --

9 A. Jacking is inches, so, you know, the center  
10 of gravity height goes up a little bit.

11 Q. How much does the center of gravity go up in  
12 a Bronco II in a turn?

13 A. I'm not sure exactly what it does, but I  
14 would expect it to go up a little bit.

15 Q. Did you test that at all when you were  
16 responsible for the suspension of the  
17 Bronco II?

18 A. We basically evaluated whether the roll  
19 mechanics of the vehicle would be stable  
20 based on a conventional definition of  
21 jacking, which is associated with the way  
22 the suspension elements react to a  
23 combination of vertical and side forces of



1           the tire. And we concluded that as the  
2           force is past the right side of the axle  
3           pivot, that jacking is not an issue.

4       Q.    So y'all say it's no problem at all with the  
5           Bronco II?

6       A.    We said that the Twin-I-Beam suspension, the  
7           way -- the way we've designed the axles, the  
8           pivots and the center of tire context, there  
9           is just a nonissue.

10      Q.    Does the Twin-I-Beam have a tendency to lift  
11           the vehicle as the vehicle goes around a  
12           turn?

13      A.    I think that the body of the vehicle when it  
14           rolls on the suspension may tend to raise a  
15           small amount. I think there's many other  
16           suspensions that could do the same thing.

17      Q.    The Twin-I-Beam for the Bronco II, is it  
18           different than the Twin-I-Beam from the old  
19           Bronco, the big Bronco years ago?

20      A.    The physical parts are different. The  
21           concept is the same.

22      Q.    Where is the pivot point located for the  
23           large Bronco?

1 A. The pivot points are located on the opposite  
2 side of the vehicle for each axle. And then  
3 obviously, it's a different vehicle, so  
4 they're not exactly the same points.

5 The basic concept is that the axle  
6 pivots cross over the centerline of the  
7 vehicle are as low down as possible for  
8 ground clearance and attachment to the  
9 frame, and that's the basic concept of the  
10 Twin-I-Beam.

11 Q. Is there any other vehicle produced by Ford  
12 that would have the same attachment points,  
13 say, for the Twin-I-Beam as the Bronco II?

14 A. Well, the Ranger 4 x 4 would be similar.  
15 The axle pivot points aren't terribly  
16 different from the 4 x 2 either. All of the  
17 Twin-I-Beam suspensions are somewhat similar  
18 in concept.

19 Q. What is Mr. Avouris's position with Ford?

20 A. I think he had -- I don't know what he does  
21 now, but he might have had something to do  
22 with tires when I was there. And at this  
23 time, I think -- I don't know if he ended up

1 back in suspension design or testing. I'm  
2 not quite sure exactly what his role was  
3 when he was doing his testing or what his  
4 position was.

5 Q. Do you recall his investigation into the  
6 jacking effect of the front swing axle?

7 A. He wrote some kind of a report where he had  
8 put -- I think it was string plots between  
9 the center of the wheels and the -- the  
10 wheel lips and measured the relative motions  
11 between the wheel centers and the body under  
12 various dynamic conditions.

13 Q. Do you remember when he did that study?

14 A. I think that was done after I left, so maybe  
15 in late '82 or '83 or even later. I don't  
16 remember exactly when that was done.

17 Q. But you disagree with his findings?

18 A. Oh, I just disagree with his findings in  
19 terms of using the word jacking. It's not  
20 the classic -- you know, Morris Oleve, Dave  
21 Bickerstaff and about half a dozen other  
22 people's definitions don't fit with that  
23 definition.

1 Q. Well, how is his different from yours so  
2 I'll have some understanding?

3 A. Well, I'll have to draw a diagram to explain  
4 it to you.

5 Q. That will be fine.

6 A. If this is the suspension and this is the  
7 body of the vehicle and over here is an axle  
8 pivot that goes to the vehicle like this, a  
9 vertical force here through the tire is the  
10 force on the tire, and the lateral force  
11 here is the force due to cornering. So this  
12 is called FY, and this is called FZ.

13 And there's a vector that is made up  
14 then of these two forces. And if that  
15 vector -- those two forces -- goes above  
16 this pivot, then this wheel tends to  
17 compress into the body. And if that vector  
18 passes below that pivot, that vector tends  
19 to jack that suspension.

20 So like a very old Corvair, like the  
21 swing axle suspension with a very short  
22 axle, the shorter the axle, the more likely  
23 you are to have a jacking moment. And

1           that's what the classic vehicle dynamicist  
2           refers to as jacking.

3       Q.    Okay.  So the shorter the axle, the more  
4           likely you'll have jacking?

5       A.    No.  If the axle is short enough that the  
6           vector passes underneath the axle pivot,  
7           then it will -- it could jack the  
8           suspension.

9                    But in our case, the only way that can  
10            happen is if you have a trip, because this  
11            force here can never be greater than this  
12            force in normal cornering.  You'd have one G  
13            under the conditions.  You have to have a  
14            coefficient of friction of one between the  
15            pavement and the tire to have this be a  
16            shallower angle than forty-five degrees.

17                   So the only way that force can be  
18            bigger than this is if you trip.  So jacking  
19            does not occur in the classic definition on  
20            the Twin-I-Beam suspension.

21       Q.    Okay.  What is Mr. Avouris talking about,  
22            then?

23       A.    He's just measuring the height of the body

1 relative to the wheel centers under various  
2 conditions. And because he's noticed it  
3 going up slightly more on the inside than on  
4 the outside, he's concluded that's jacking.

5 Well, that could be caused by different  
6 spring rates. If I have a progressively  
7 higher spring rate as the spring compresses  
8 on the outside and it gets softer on the  
9 inside, it could cause that. So that's not  
10 jacking. That could be due to stabilizer --  
11 all kinds of things. Could be due to body  
12 mounts. So I don't agree with his  
13 conclusions.

14 Now, the fact that what he actually saw  
15 happened, I don't disagree with that. I  
16 just disagree with him using the term  
17 jacking. I've stated that before.

18 Q. You say that the vehicle is raising up, but  
19 it's not because of jacking?

20 A. Right.

21 Q. Well, if it raises up in a cornering  
22 maneuver, will that shift the center of  
23 gravity and the pivot line to the outside?

1 A. Is the center of gravity raising? Is this  
2 the center of gravity of the part of this  
3 rigid body? Do you think this is fair?

4 This is the center of gravity of this  
5 rigid body right here. It's rolling in a  
6 corner. Is the center of gravity going up?  
7 Of course it is, so of course it does.

8 Q. Okay.

9 A. It's not jacking. Jacking is where there's  
10 an invert -- a kinematic interaction that's  
11 due to a swing axle effect, which we checked  
12 out -- mathematically checked out on the  
13 computer and found was not present when we  
14 designed the Bronco II suspension.

15 Q. Did you ever test it physically on the  
16 proving grounds?

17 A. We tested it physically and tested it on the  
18 computer, and no evidence to support  
19 jacking.

20 Q. So that was something y'all were concerned  
21 about even during the design phase?

22 A. Yeah. In fact, I asked Max Moore  
23 specifically to run these calculations and

1           make sure the pivot was low enough and far  
2           enough inboard with the short -- the  
3           narrower track vehicle to make sure jacking  
4           would not be a phenomenon. He did that  
5           study -- it's a design study -- specifically  
6           at my request. It's got such a wide margin  
7           of safety in terms of passing above that  
8           pivot, it was not of any concern to us.

9       Q.    Would you agree that if the Twin-I-Beam was  
10           longer, the possibility of jacking would be  
11           decreased?

12    A.    There's no possibility of jacking in the  
13           normal, conventional limit handling  
14           maneuver.

15    Q.    That wasn't my question. The question is,  
16           from your mathematical calculations, that  
17           the longer the I-Beam, the less likely there  
18           could be a jacking effect?

19    A.    Jacking can only occur when the lateral  
20           forces are greater than the vertical forces  
21           on the tire, okay, by some ratio. The ratio  
22           is so high for that, it can only occur in  
23           tripping.



1           So as far as we're concerned -- As far  
2           as I'm concerned, the classic jacking --  
3           lengthening the axle makes no significant  
4           improvement for normal handling from what  
5           we've got.

6           See, this passes so high above the  
7           pivot, okay, that if I lengthen the axle, so  
8           what. It still passes above the pivot. I  
9           don't have a jacking phenomenon. I don't  
10          get a jacking phenomenon until that axle is  
11          so short that -- that the vector passes  
12          underneath the pivot.

13          So I would -- I would say that  
14          shortening the axle, okay, could promote  
15          jacking. But lengthening it offers no  
16          advantage in preventing jacking or limiting  
17          jacking because jacking doesn't occur in the  
18          classic sense.

19        Q.    I think you answered the question. The  
20            longer the axle, the less likely there could  
21            be a jacking effect, period?

22        A.    No. The way it is, it doesn't jack. Okay?  
23            If you shorten the axle sufficiently, you

1           could get jacking.

2       Q.    The shorter the axle, the more likely --

3       A.    Right.

4       Q.    -- there would be a jacking effect?

5       A.    But the converse isn't true, that

6           lengthening it increases jacking. It just

7           doesn't jack. Jacking is an on-off

8           condition where the vector passes underneath

9           the axle pivot.

10      Q.    And the reason y'all were concerned about

11           jacking, I guess, was because if there was a

12           jacking problem, it could make the vehicle

13           less stable?

14      A.    From the stuff that went on with the Corvair

15           and the swing axles on the Corvair and the

16           very short swing axles, it's always been

17           something that we've been concerned about,

18           back in the fifties and -- and when that

19           work was done.

20                        So I think suspension engineers

21           typically try to design suspensions that are

22           configured in a way that that pivot is

23           always above -- that pivot is always

1 sufficiently low and inboard, that the worst  
2 case vector will always basically compress  
3 suspension towards the body in that  
4 condition.

5 Now, the only way that you can actually  
6 get that to jack is if that vector -- is if  
7 the lateral forces are greater than the  
8 vertical forces. I mean, even in limit  
9 handling of one G, that angle is forty-five  
10 degrees.

11 So as long as the swing axle is on the  
12 opposite half of the body from the wheel,  
13 okay, you tend not to get jacking if the  
14 pivot is long enough. The pivot would have  
15 to be very high and very far inboard to have  
16 jacking.

17 So I had Max Moore run a calculation  
18 and go and do a layout and show this vector  
19 and satisfy us that in the ranges of  
20 suspension travel, that jacking wouldn't be  
21 a concern.

22 Q. What year did you have that done?

23 A. It was right around the time that we were --

1           it was right in the early '81 phase when we  
2           were doing the -- deciding whether to make  
3           it wider and lower.

4       Q.    And Max Moore was whom at that time?

5       A.    My engineer.  Worked for Rich Antoun.  He  
6           also worked on the ADAMS modeling.

7                       MR. ALLEN:  Let me attach that  
8                       since you've -- we've already  
9                       discussed it.  Let me attach it  
10                      as the next exhibit.

11                     (Plaintiff's Exhibit Two was  
12                     marked for identification.)

13       A.    I'm going to include one more sketch here  
14           just so you'll understand this.

15                     With the pivot here and the force here  
16                     and here, this can never be greater than  
17                     this.  So this angle is always from here to  
18                     here.  Okay?  That -- Under various  
19                     combinations of loading and side force, that  
20                     vector is always in that direction.  So  
21                     that's always above this pivot, so this  
22                     doesn't jack.  Okay?

23                     Here is a swing axle, and the pivot

1 goes from here to here. You can see that  
2 this will jack. That has to be very short  
3 to have jacking.

4 Q. Was there anyone else in Ford other than  
5 Mr. Avouris that talked about the jacking  
6 phenomenon with the Bronco II Twin-I-Beam  
7 suspension?

8 A. Well, that all occurred, I believe, after I  
9 had left the company, and I don't know who  
10 else shared Mr. Avouris's opinion on the  
11 correct use of terminology.

12 Q. Has anyone else -- Well, in the  
13 investigation of these cases, have you  
14 learned that anyone else had talked about  
15 the jacking effect?

16 A. Well, we've discussed Avouris's opinion in  
17 that paper and what that report meant as far  
18 as the vehicle was concerned and versus my  
19 definition of jacking. In almost every  
20 case, it's been a point of discussion.

21 Q. Has there been anybody else, though, other  
22 than Mr. Avouris --

23 A. You mean at Ford Motor Company?

1 Q. Yes.

2 A. Discussed jacking?

3 Q. Yes.

4 A. We've discussed jacking verbally. I've  
5 talked about it to Max Moore, Rich Antoun.

6 Q. I'm sorry. I'm asking --

7 A. I'm misunderstanding.

8 Q. Are there any other documents that you are  
9 aware of that discuss jacking that you  
10 disagree with or agree with as it would  
11 relate to the Twin-I-Beam that you've come  
12 across since you've been handling these  
13 cases?

14 A. There's an SAE paper on the Twin-I-Beam  
15 suspension that was written when the  
16 Twin-I-Beam suspension was introduced that  
17 talks about jacking. I believe that talks  
18 about it in the correct context.

19 Q. Any other memos or documents that you're  
20 aware of that refer to the jacking effect?

21 A. Not that I'm aware of, no.

22 Sorry about the confusion there.

23 Q. That's no problem. It's getting late.

1                   Are you aware of any tests by Michelin  
2                   regarding the jacking effect?

3       A.   Not specifically, no.

4       Q.   Who is Mr. D. O-N-K-K-A?

5       A.   DeJaunger?

6       Q.   It's a D. period, and the last name is  
7            O-N-K-K-A.

8       A.   Let me look at that name. I think there's a  
9            J missing.

10                   MR. HINGA: Upper left.

11       A.   No idea. Never heard of that guy.

12       Q.   And you said a UN46 is the prototype for the  
13            Explorer?

14       A.   I believe that was the designation of the  
15            Explorer.

16                   THE WITNESS: Was it UN46?

17                   MR. HINGA: Yes. It wasn't the  
18                   prototype. It was the  
19                   designation of the program.

20       A.   The program.

21       Q.   Do you know what the test -- what tests they  
22            did to compare the Bronco II and the UN46 --

23       A.   No.

- 1 Q. -- relative to suspension jacking?
- 2 A. No, I don't.
- 3 Q. What would it mean when it says the
- 4 Bronco II and the UN46 both exhibit high
- 5 levels of front suspension jacking?
- 6 A. I don't know what they mean by that.
- 7 Q. The jacking increases slowly with lateral
- 8 acceleration to about point four Gs.
- 9 A. Is that the Avouris -- Is that Avouris's
- 10 thing?
- 11 Q. I don't think so. How many memos did
- 12 Avouris put out?
- 13 A. I don't know. That sounds like that's
- 14 associated with that same report.
- 15 Q. It could be. And it says that --
- 16 A. I'll bet that's what that is.
- 17 Q. -- at point six five Gs, the jacking is
- 18 about two point five inches. In comparison,
- 19 the Chevy Blazer increases slowly with
- 20 lateral acceleration to about point two five
- 21 inches.
- 22 A. I think that sounds like the same report
- 23 that we've been talking about.



1 Q. You've probably seen it a few times since  
2 you've been testifying.

3 A. Yeah. I haven't seen that particular piece  
4 of paper before, but the data you're  
5 referring to there sounds like that it comes  
6 from that same report with the string plots.

7 MR. HINGA: Why don't you read in  
8 the Bates number and the date.

9 MR. ALLEN: The date is -- The  
10 date of the report is 11/29/89.  
11 The Bates number is 9863.

12 A. This sounds like that's that one.

13 Q. What do you think he means when he's talking  
14 about suspension jacking in this report?

15 A. I think he means that the body on the  
16 outside is going up more than the body is  
17 going down on the inside, which can be just  
18 due to the roll axis, heights of -- the  
19 differences between the vehicles. It may be  
20 nothing to do specifically with the  
21 suspension. It could be spring rates. It  
22 could be stabilizer bars would have a  
23 bearing.

1           The more the rates go up on the loaded  
2 side, the more -- and if the rates go down  
3 on the unloaded side, you could get the  
4 asymmetry. So I don't quite know what they  
5 mean by that.

6   Q.   When you left Ford, what tires were planned  
7 to go on the vehicle?

8   A.   Well, we'd been looking at P195 R15. I  
9 think they're 70 Series tires. And they  
10 were highway and mud and snow and all  
11 terrain tires that we had been looking at.

12           And I'm not sure that we had firmly  
13 decided whether or not we needed to restrict  
14 P205 tires. And there might have even been  
15 some interest in 215s and 235s.

16           But if I recall correctly, we were  
17 looking at P185, P195, and P205 tires. They  
18 were all fifteens. And the specific vendors  
19 I don't recall.

20   Q.   What was the largest size tire that was ever  
21 released with the Bronco II?

22   A.   I don't know the final largest size. I know  
23 we were looking at 195s and 205s. I don't

1 know if at some subsequent time those were  
2 changed to 215s or 235s or some unusual, you  
3 know, off-road designation.

4 At the time I was working on the  
5 vehicle, it was 195s and 205s. Again, I  
6 think that would be fairly straightforward,  
7 to look in the merchandising material for  
8 the vehicles and determine what sizes were  
9 actually on the vehicles in different years  
10 after I left.

11 Q. Why is it that -- I mean, the P2 -- excuse  
12 me, the P195 is a relatively small tire,  
13 isn't it?

14 A. Well, it's a hundred and ninety-five  
15 millimeters wide. And a P195/70 R15 means  
16 it's seventy percent of 195 high. A 205 is,  
17 you know, ten millimeters wider. And a  
18 P205/70 R15 has got a slightly different  
19 radius based on the seventy aspect ratio.  
20 And different tire suppliers might have  
21 slightly different alterations of that.

22 The 195 is, you know, not a bad tire.

23 That's quite wide. A hundred and

1           ninety-five millimeters. It's eight inches  
2           wide almost.

3       Q.   Were y'all satisfied that -- or was that the  
4           kind of tire that you were targeting  
5           originally, 195, 205?

6       A.   195s, 205s, I think that was about the right  
7           size for that vehicle.

8       Q.   If you put a larger tire on it, what effect  
9           would it have?

10                       MR. HINGA:  What do you mean by  
11                       larger?

12                       MR. ALLEN:  Bigger than -- Larger  
13                       than 205.

14       A.   Well, you'd get an increase in the center of  
15           gravity roughly proportional, seventy  
16           percent times the increase in the width  
17           designation.  So let's say you put a 235 on  
18           there.  Thirty millimeters wider.  You would  
19           be basically raising the vehicle ten to  
20           twenty millimeters or three-quarters -- a  
21           half an inch to three-quarters of an inch.

22       Q.   Is that bad?

23       A.   Well, it's not bad, per se, but it might

1           make a difference. I mean, it might -- It's  
2           going raise the center of gravity height a  
3           little bit. It might increase the Gs that  
4           the vehicle was capable of getting into and  
5           the limit. So it will make a difference.

6       Q.    Did y'all expect people to put bigger tires  
7           on it, though, when you sold it?

8       A.    I think I testified before that our policy  
9           was to replace the tires on the vehicle with  
10          the original equipment tires that came with  
11          the vehicle. And I'm not sure what other  
12          warnings we provide about not putting bigger  
13          tires on. You can't stop people, I don't  
14          suppose, from doing something that you don't  
15          recommend.

16       Q.    And the reason that you didn't recommend  
17          tires any larger than 205 was because of the  
18          raising of the center of gravity?

19       A.    No.

20       Q.    Why?

21       A.    When the tires are on the vehicle, if  
22          they -- you turn -- We do all kinds of  
23          studies to make sure the tires don't hit the

1 wheel lips, they won't hit the brake  
2 linings, they won't hit the brake cables,  
3 they won't hit the inner wheel wells. All  
4 the validation and testing is done with  
5 certain sizes.

6 And all manner of things could be  
7 affected if you put a bigger tire on or you  
8 basically put a different wheel on or any of  
9 the other things that you could do. If you  
10 do that, you're really culpable for whatever  
11 the impact is going to be. You see people  
12 do all kinds of strange things to vehicles  
13 that we sure wouldn't recommend you do.  
14 They do -- They do do it.

15 Q. So the reason you're saying not to put  
16 anything larger than a 205 tire on it does  
17 not have anything to do, really, with the  
18 center of gravity or --

19 A. Well, it could. It could have a bearing.  
20 But basically we test the vehicle, and we  
21 certify it with a certain size tire. And we  
22 don't -- we can't test all manner --  
23 everything everybody else might think about

1           doing.  So we've only, really -- We've  
2           certified the vehicle a certain way, and  
3           that's what we expect them to use.

4       Q.  Were there any testing -- or during any of  
5           the testing of the Bronco II, did you find  
6           out that if the tires wore some, that the  
7           speed at which the wheel lift would occur  
8           was much lower?

9       A.  Well, I think we know that when the tires  
10          wear, you get a different response.  So we  
11          try to understand what the envelope would be  
12          and how it would be affected by worn tires,  
13          because the friction of the tire to the  
14          ground is different.  So we try to  
15          understand what that would do.

16                 Our objective when we were doing our  
17                 testing was to make the vehicle safe and  
18                 stable to start with, and then to produce  
19                 large responses, you have to put large  
20                 steering inputs in.

21                 So the fact that a tire wears and -- at  
22                 a different speed or -- you know, still with  
23                 a very large input, you know, we would

1 expect that there would be some differences.

2 Okay?

3 The question is, is that amount of  
4 steering input unreasonable? That's what we  
5 were trying to do, was push -- well,  
6 certainly when I left the company, we were  
7 trying to push that envelope of steering  
8 input into the region that typically people  
9 wouldn't put that kind of steering input in.

10 Q. What could the difference be in the speed at  
11 which -- all things being equal, that a tire  
12 would -- you would get wheel lift, say --

13 A. One wheel lift?

14 Q. -- from a worn tire?

15 A. That could be forty, fifty miles an hour.

16 It could be a huge difference from a worn to  
17 a new tire. To have one -- It can make  
18 quite a bit of difference to a vehicle with  
19 different tire wear combinations.

20 Q. So would it be appropriate then, I guess, if  
21 you're going to test to find out what a  
22 vehicle will really do when you sell it and  
23 the person uses it and to -- expect to test



1           it both with new tires and with worn tires?

2       A.   Well, certainly we did testing that I'm  
3           aware of to look at the effects on the  
4           sensitivity of the vehicle to -- with tires  
5           worn and tires new. There are tests that we  
6           did with tires worn and new to look at the  
7           effects of variation in tires.

8       Q.   Did that testing go on from the beginning  
9           of -- from the first prototype up through  
10          production?

11      A.   Well, even when we test -- when we test the  
12          vehicle and we do a handling -- the handling  
13          test, the testing is so abusive to the tires  
14          that the tires even wear out during the  
15          testing.

16      Q.   That was not -- I'm asking when the testing  
17          was -- When did the testing take place?

18      A.   There was specific testing done in '81 that  
19          I'm aware of, and I think some testing was  
20          going on in '82 with new and worn tires.  
21          And just the nature of some of the tests  
22          that we did --

23                As I said, we may start off with new

1           tires. And during the course of running the  
2           test, the tires wear until they're worn,  
3           until they're worn out and unusable.

4                     So any extreme handling test wears the  
5           tires out, so you essentially have the  
6           opportunity while you're doing the testing  
7           to wear the tires.

8    Q.   Where did you do the testing?

9    A.   Testing was done in Dearborn --

10                    MR. HINGA: The tire testing or  
11                    handling?

12                    MR. ALLEN: The handling and  
13                    stability tests.

14   Q.   I assume the tire testing was --

15   A.   Testing was done in Arizona and Dearborn,  
16           and I think some testing was done at  
17           Michigan proving grounds.

18   Q.   Was there ever a point where testing  
19           stopped?

20   A.   Well, there's -- No, I don't think testing  
21           per se stopped. We may have changed the  
22           kinds of tests we've done after we got a  
23           certain amount of information and felt that

1           we're not learning anything more from these  
2           tests. That testing might stop and change.

3    Q.    When did that happen?

4    A.    Well, certainly as we advanced the computer  
5           model so that the computer model would be  
6           able to simulate more variables. We felt  
7           comfortable the computer model would allow  
8           us to do more suspension iterations in the  
9           computer than on the test track.

10                 So we certainly started reducing the  
11           amount of testing as suspension math model,  
12           computer ADAMS model became more usable in  
13           predicting certain outcomes and then did  
14           less testing to verify.

15   Q.    When did that happen?

16   A.    Well, we started the math model in '81. By  
17           the time we got into the '82 time frame, I  
18           personally thought we could do a lot more  
19           testing on the computer and get more valid  
20           results than we could do with physical  
21           testing.

22                 So my ultimate plan was to do a minimum  
23           amount of physical testing, use the P6-101

1 to sign off the product and develop the  
2 suspension interactively with the computer  
3 and then let the subjective sign-off test  
4 the vehicle.

5 Q. So you're the one that made the decision to  
6 move from actual physical testing to the  
7 computer testing?

8 MR. HINGA: Object to the form of  
9 the question. There was never  
10 a complete break, right?

11 THE WITNESS: No. That's right.

12 A. There was never a complete break. I was  
13 moving the company in that direction,  
14 certainly.

15 Q. What do you mean there was never a complete  
16 break?

17 A. Well, we always test vehicles. There's  
18 always some testing that's done. So there  
19 was -- I was -- And this move continues to  
20 this day, is that we're moving towards doing  
21 more and more testing on the computer with  
22 less and less validation on the product  
23 because you can test -- right now, we could

1 test a thousand iterations overnight that  
2 we -- you know, it would have taken us six  
3 months to get the same data on physical  
4 tests.

5 Q. After May of 1982 and up until the Consumer  
6 Union short course, what proving ground  
7 tests were done where you used the J-turn  
8 and the ramp steer test?

9 A. I don't remember exactly what tests. There  
10 might have been a few tests done. But our  
11 computer simulation of what happened in the  
12 J-turn seemed to be pretty good, and I felt  
13 that we could tune the stabilizer bars,  
14 suspension and other components. I'm not  
15 sure it was that valid to do that test.

16 And that testing was not an absolutely  
17 required part of our testing. We were doing  
18 that to gain information about what happens  
19 in that test. That's an arbitrary test, to  
20 stick in a whole bunch of steering input and  
21 just sit there and wait and see what happens  
22 to the vehicle.

23 So that was one of the tests that we

1           were doing. We were using it to validate  
2           the computer model. Okay? So that testing  
3           could have stopped at some point in time.

4       Q.    That's what I'm asking.

5       A.    It could have.

6       Q.    Between May of '82 till the Consumer Union  
7           short course -- I was looking to see where  
8           the proving ground testing may have ended.

9           It may be and I just haven't found it yet.

10      A.    I don't know if it absolutely ever ended. I  
11           mean, I don't know for a fact that it  
12           absolutely ever ended.

13                   MR. HINGA: When you say Consumer  
14                   Union, what are you --

15                   MR. ALLEN: Consumer Union short  
16                   course.

17                   MR. HINGA: What are you speaking  
18                   of in terms of the time frame?

19                   MR. ALLEN: I think there were  
20                   some in '89.

21      Q.    Do y'all have any proving ground films of  
22           tests of J-turns between May of '82 and  
23           1989?

1 MR. HINGA: Let's go off the  
2 Record.

3 (Off the Record discussion.)

4 MR. ALLEN: Let me get it clear on  
5 the Record.

6 Q. If you know of any films of any J-turn  
7 testing or handling tests between May of  
8 1982 and the Consumer Union short course of  
9 May of 1989, I need you to tell me about it.

10 A. I don't remember. I remember reducing the  
11 amount of testing that we were doing on the  
12 test track. Okay? But I don't remember  
13 stopping testing completely and doing no  
14 testing on the track on J-turns.

15 Certainly part of my recommendations  
16 were that we wouldn't have to do as much of  
17 this testing because we could produce the  
18 same results on the computer and more  
19 predictable results on the computer.

20 Q. See, that's what I'm asking for. Where is  
21 this testing? You say it was reduced. If  
22 there is -- That leads me to believe there  
23 is some test -- there are some J-turn tests

1           and there are some ramp steer tests between  
2           May of '82 and 1989.  If there are those  
3           tests, I want you to point me in a direction  
4           where I can find them.

5       A.  Well, there would be development reports if  
6           there were tests that were done and were  
7           written.  There would be development reports  
8           that would be on record that show what tests  
9           were done.

10                 There were development reports that  
11            you've already shown me here today that  
12            referred to, you know, wheel lift at so many  
13            degrees.  And that's the result of those  
14            kinds of tests.

15                 So I don't know specifically what  
16            testing was done in that period after July  
17            after I left.  I think there was some  
18            testing still going on even from May through  
19            July.  And it may have been -- I think it  
20            was being used basically to correlate the  
21            computer.  That's what I was trying to do  
22            with that data.  But I don't remember the  
23            exact specifics of what that data -- what



1 those tests were and when they were done.

2 You saw the plan that we had to do  
3 vehicle dynamics testing and to test various  
4 type -- and do various testing. We wrote a  
5 special plan to do that stuff, standard  
6 handling tests you do to validate the  
7 vehicle, P6-101. This J-turn testing was an  
8 adjunct to our normal testing, okay, to go  
9 in and understand what's going on in  
10 J-turns.

11 My thought process certainly was that I  
12 want to be able to understand what the  
13 impact is on a J-turn, a double lane change,  
14 single lane change in a simulation so I can  
15 try different combinations of stabilizer  
16 bars and then go out and then validate what  
17 I've chosen as being the ideal way rather  
18 than wasting all this time and money  
19 shredding tires out there on the test track.

20 Q. So that's the reason you went with the ADAMS  
21 simulation?

22 A. That's exactly right. It'd take too long to  
23 iterate the alternatives, but I could

1 evaluate many, many alternatives over a  
2 fairly short period of time with the ADAMS  
3 model.

4 Q. Was there ever a time in May of '82 when  
5 there were some tests being done at the  
6 Arizona proving grounds where an outrigger  
7 was broken during the test?

8 A. An outrigger is really a bad device in a  
9 way. That's because it's a hard object. It  
10 changes the vehicle dynamics. And it sits  
11 out there --

12 Q. I just asked you did they break one or not.

13 A. It's very vulnerable. What I'm saying is  
14 it's very vulnerable to being damaged or  
15 broken because it suddenly hits the road. I  
16 believe there may have been outriggers that  
17 were damaged or broken.

18 I've done testing on other vehicles  
19 with outriggers and always had problems with  
20 the outrigger integrity if contact is made  
21 with the outrigger. So I've broken  
22 outriggers myself.

23 Q. The question is, do you remember in May of

1           1982 -- and I know you've looked at these  
2           documents and are a lot more familiar with  
3           them than I am. Do you recall a time in May  
4           when they were testing the J-turn test and  
5           they broke an outrigger?

6       A.    I don't specifically recall, but I have  
7           vague recall of outriggers being damaged.

8       Q.    And that is damaged when the vehicle tips up  
9           and starts to turn over? I mean, isn't that  
10          the purpose of an outrigger, to keep the  
11          vehicle from turning all the way over?

12      A.    That's the purpose of it. You're trying to  
13          lose control of the vehicle and precipitate  
14          a rollover event, and then the outrigger is  
15          to prevent a catastrophic rollover where the  
16          vehicle rolls over and injures the driver.  
17          So that's what you put an outrigger on for.

18      Q.    And the outrigger that broke in May of '82  
19          was when it tipped up?

20                       MR. HINGA: Object to the form.  
21                       Foundation.

22      A.    Well, I don't know if the outrigger -- I  
23          don't know to what extent the vehicle was

1           tipped up or, you know, if it was just a  
2           singular lift or if the outrigger was just  
3           set too close to the ground or what.

4                     I mean, we know we can induce with  
5           very, very extreme steering maneuvers that  
6           come close to loss of control of the  
7           vehicle, we can tip the vehicle up. So  
8           without the specific facts of the situation  
9           that broke it, I can't say for sure it was a  
10          vehicle tipping up. It could have been.

11        Q.    You can't deny --

12        A.    I can't deny it, right.

13                     MR. HINGA: Off the Record.

14                     (Off the Record discussion.)

15        Q.    When you were preparing for J-turn testing,  
16           were there any written parameters or  
17           guidelines or standards for J-turn testing?

18        A.    There were no formal procedures for J-turn  
19           tests. There were -- we -- I think we wrote  
20           a test procedure up that said, you know, how  
21           to do the turn and what kind of radius to  
22           turn or what kind of velocities and angles.

23                     It was a single ramp steer input is

1           what the J-turn test was. Hold the steering  
2           quickly to a set position and let the  
3           vehicle respond and see what happened, which  
4           is very -- it's an objective test, but I'm  
5           not sure anybody actually does that in the  
6           real world. I don't know of anybody that  
7           would go out and put in three hundred and  
8           sixty degrees of steering input at  
9           fifty-five miles an hour and wait to see  
10          what happens and hold it there. But that's  
11          what we were doing.

12        Q.    But the purpose was to find out how stable  
13            the vehicle was?

14        A.    Well, it's to understand what happens to the  
15            vehicle under those conditions and to  
16            determine what happens and then to determine  
17            what -- what suspension factors we could  
18            change to modify behavior in some direction  
19            we wanted to go in. The direction we were  
20            trying to go in was to make the steering  
21            angle be as high as possible before we  
22            precipitated an undesirable response.

23        Q.    Well, you recognize in the real world,

1 people are going to have to make emergency  
2 maneuvers and they're going to have to  
3 put -- use radical steering inputs from time  
4 to time.

5 A. Right, but they are not going to put in five  
6 hundred degrees of steering input or three  
7 hundred and sixty degrees and hold it there  
8 until the vehicle rolls over. There's no  
9 room to do it. You can't physically do it  
10 on a real road. You have to have a big,  
11 flat area to do it. You have to  
12 deliberately induce this. This is not a  
13 real test. It's only hypothesized that it  
14 correlates to anything.

15 Q. So you couldn't make one turn over by just  
16 changing lanes real rapidly?

17 A. I think that if you changed lanes real  
18 rapidly and didn't maintain control of the  
19 vehicle you could make it roll over. You  
20 could make lots of vehicles roll over doing  
21 that.

22 The question is whether in an attempt  
23 to maintain normal control of the vehicle,

1           be in a turn -- be driving the vehicle, you  
2           can change lanes quickly and get back into  
3           your lane or put a big steering input and be  
4           able to keep the vehicle stable. That's  
5           what our P6-101 testing did with real  
6           drivers and real world doing real --  
7           realistic maneuvers.

8       Q.    When was that testing done?

9       A.    That was done on every generation of  
10           prototypes. There was a sign-off on the  
11           final levels of vehicles.

12                    And at the time I left, there were  
13           reports -- I think it was in July of '81,  
14           and there might have been more in '82 --  
15           where a group of people actually -- also  
16           management people drove the vehicle in the  
17           same maneuvers, through the pilons and did  
18           that testing.

19                    So this is part of the ordinary  
20           verification that development does and that  
21           management does of the vehicle in the  
22           proving ground environment.

23       Q.    Okay. Is that separate and apart from the

1 J-turn testing?

2 A. The J-turn test was an adjunct to the normal  
3 testing. We were doing it to understand  
4 what J-turn testing means, because there  
5 were several people trying to hypothesize  
6 about various vehicle attributes based on  
7 doing J-turn testing, like Consumers Union.  
8 And so we wanted to understand the mechanics  
9 of what goes on in a J-turn.

10 So we set up proving ground procedures  
11 to do that. We built math models capable of  
12 doing not only J-turns, but various  
13 significant handling maneuvers. And we  
14 attempted to correlate those so that we'd  
15 understand what happens in a J-turn and we  
16 could design a chassis that would maximize  
17 performance in a J-turn. Not necessarily  
18 preclude a rollover, but just to make it so  
19 that in that test, the exposure -- not the  
20 exposure, but the input that would be  
21 required to produce a rollover in that type  
22 of maneuver would be a very, very unusual  
23 input and response. Because we know that



1           you can make vehicles roll over. You can  
2           conduct some test to make a vehicle roll  
3           over.

4       Q.   Well, I'm a little bit confused when you  
5           start talking about the P6-201 testing --

6       A.   P6-101.

7       Q.   All right. Well, what is that?

8       A.   That's a whole procedure that you probably  
9           have in your discovery documents that  
10          identifies what the development engineer is  
11          supposed to do with the vehicle to determine  
12          its stability, directional stability, lane  
13          change capability and safe handling. That  
14          is a subjective assessment. And we've still  
15          found no better way to validate that a  
16          vehicle is a safe handling vehicle than that  
17          test.

18      Q.   Well, in that test, is that just a normal  
19          use test?

20      A.   No. That's very extreme driving. That's  
21          pushing the vehicle to very high G levels  
22          that go beyond what a normal consumer would  
23          drive at.

1 Q. Are there films of those tests?

2 A. We filmed those retroactively, and those  
3 were entered into evidence in the last trial  
4 so that we could show you and the jury what  
5 is involved in doing those tests. So there  
6 are videos that have been made available,  
7 that are in evidence, let's say, on those --

8 Q. Films retroactively?

9 A. What I'm saying, there are films that have  
10 been done -- It wasn't common practice to  
11 videotape, those films. The report of the  
12 engineer driving the vehicle was the normal  
13 documentation. So to show what those tests  
14 involved, Ford has made videotapes.

15 Q. And who did those films?

16 A. Ford. Ford made those videotapes at their  
17 proving grounds.

18 Q. Did Ford employees do the test?

19 A. Normally a Ford employee -- a trained driver  
20 does the test, yes.

21 Q. So you have available videotapes that show  
22 those tests performed by Ford?

23 A. I believe so.

1                   MR. HINGA: As demonstrative  
2                   evidence.

3       Q.    What case were they produced in?

4       A.    The last two cases.

5       Q.    I'm trying --

6       A.    I'm just trying to remember the names of the  
7            cases.

8                   MR. HINGA: Chuning.

9                   THE WITNESS: Chuning and --

10          MR. HINGA: Nelson.

11          THE WITNESS: They weren't  
12                produced in Denny, were they?

13          MR. HINGA: No.

14       Q.    If you wanted to ask for those films, what  
15            would you ask for? I mean, how would you  
16            describe them so that when I frame my  
17            request --

18                   MR. HINGA: If you don't have  
19                them, I'll give you -- I'll  
20                send you a copy.

21          MR. ALLEN: Okay. What do you  
22                call them so I'll know?

23          MR. HINGA: Demonstrative evidence

1                   of the P6-101, limit handling.

2                   THE WITNESS: Testing performed in  
3                   1983.

4                   MR. HINGA: If you'll write me a  
5                   letter, I'll send you a copy of  
6                   that. No. Write Garth Ferrell  
7                   a letter. You can write me,  
8                   Greg. I'll --

9                   MR. ALLEN: Make sure I have the  
10                  numbers down right. It's P?

11                  MR. HINGA: 6-101. And in your  
12                  documentation, you should have  
13                  the protocol, P6-101.

14        A.       That's a Ford engineering test procedure, I  
15                  believe.

16        Q.       Now, have there been any other films of  
17                  tests run that you know of for litigation  
18                  purposes or for anything -- any other  
19                  purpose that we haven't talked about?

20        A.       I haven't seen all the evidence, but I --  
21                  that's been presented. But I think there's  
22                  videotapes. There may have been videotapes  
23                  made by Ford's other experts that have been

1 submitted into evidence. And maybe there's  
2 stuff that's been submitted, also, by  
3 plaintiffs, this testing that's been done.  
4 That's the only other stuff I'd be aware of.

5 In the formal course of testing, as I  
6 said, it was not normal -- it was not  
7 ordinary to go out and videotape. We still  
8 don't do it to this day I don't think. We  
9 don't videotape every test we do.

10 Back then, videotape wasn't even an  
11 option. That was movie film. So I think  
12 back in the eighties, we didn't have  
13 videotapes, did we?

14 (Brief interruption.)

15 Q. Since the time you left Ford and have been  
16 involved in litigation, have you become  
17 aware of the number of injuries and deaths  
18 that have occurred as a result of rollovers  
19 with Bronco II vehicles?

20 A. There's been some statistics presented in  
21 court, okay, that -- the context of which I  
22 didn't fully understand, okay, in the way  
23 the numbers were presented.

1           So I do not have -- I have not had  
2           complete exposure to all the statistics.  
3           That's really not my area, so --

4    Q.   Did Ford have any projection at the time  
5           they were designing the vehicle as to what  
6           they expected as it related to either  
7           injuries or deaths in rollovers of the  
8           Bronco II?

9    A.   I think that you've presented me what Ford  
10           had at the time that the Bronco II went into  
11           production. I think there was data  
12           available, as you've pointed out earlier, on  
13           the CJ5 and the CJ7.

14           So the document that we talked about  
15           earlier had some projections, that Ford was  
16           expecting the Bronco II to be better than  
17           the CJ5.

18   Q.   But as far as the numbers of injuries and  
19           deaths, have you seen any -- or do you  
20           remember any discussion about that?

21   A.   I think the numbers I've always seen have  
22           ended up being percentages of something.  
23           Numbers per passenger mile. I don't recall

1           seeing the absolute numbers.

2       Q.    Do you know how the Bronco II compares with,  
3           say, the S-10 Blazer?

4                       MR. HINGA:  Object to the form.  I  
5                       think it's outside the 30(b)(6)

6       A.    I don't really --

7       Q.    Only if you know.

8       A.    I don't know.

9       Q.    What's the difference between the McPherson  
10           strut and the SLA frame?

11      A.    McPherson strut has a strut like a shock  
12           absorber with an upper attachment point that  
13           is -- one of the suspension members  
14           essentially slides so the wheel slides up  
15           and down with one axis of motion controlled  
16           by the sliding member.  And the lower member  
17           is a typical control arm, like a  
18           Twin-I-Beam, like an axle, but it's shorter.  
19           It's typically shorter than a Twin-I-Beam,  
20           more like a regular SLA suspension.

21                       So the wheel -- when the wheel goes up  
22           and down, the lower arm, which has a ball  
23           joint to the strut, lets it go up and down

1 and controls it this way and the strut  
2 basically resists it from rolling in and  
3 out.

4 But there's a lot of friction in this  
5 strut. They wear out. They are -- I think  
6 only just recently have some small compact  
7 utility vehicles tried struts in off-road  
8 type vehicles. So it tends to be a car  
9 suspension. It was originally developed as  
10 a car suspension. It's mostly in use in  
11 cars and not -- not really been used widely  
12 on trucks. It's not been durable or rugged  
13 enough.

14 Q. What is an SLA suspension?

15 A. An SLA suspension is two arms, a short and a  
16 long arm, that are not necessarily parallel  
17 to each other that will allow the geometry  
18 of the wheel as it moves up and down to be  
19 changed in camber so that it will change the  
20 vehicle dynamic behavior.

21 Its advantage is that it gives a pivot  
22 point that is either inboard or outboard  
23 from the car. And you can change the roll



1 axis of the car with that more easily than  
2 with a Twin-I-Beam suspension.

3 A Twin-I-Beam suspension is very strong  
4 and rugged. It has very good antidive  
5 properties without having a lot of  
6 harshness.

7 So there are pros and cons of all three  
8 of those suspensions --

9 (Brief interruption.)

10 A. So there are pros and cons to all these  
11 different suspensions.

12 Q. What about the S-10 Blazer? Does it have  
13 the McPherson strut or the SLA?

14 A. I think it's the SLA.

15 Q. SLA?

16 What about the Jeep Cherokee? Do you  
17 know what type --

18 A. Jeep Cherokee has a trailing arm and a  
19 monobeam axle suspension. It's a different  
20 axle. It's a live axle basically. I don't  
21 think it's independent at all.

22 Q. Just so I'll be clear, when I see the term  
23 swing axle, is that the same as a

1 Twin-I-Beam suspension?

2 A. Not really. A swing axle -- A Twin-I-Beam  
3 is a derivation of -- an improvement to the  
4 swing axle. Normally we refer to the swing  
5 axle is where the pivot is on the same side  
6 of the car as the suspension, like this.  
7 The Twin-I-Beam is crossing over the center  
8 of the car.

9 So generally you refer to swing axles  
10 as being very short -- very short suspension  
11 members, like the old Volkswagen or the Mutt  
12 Jeep.

13 Q. So if I see swing axle in the documents --

14 A. As a matter of fact, on this diagram, this  
15 exhibit here, Exhibit Two, this is a  
16 Twin-I-Beam. I'll mark that. And this is a  
17 swing axle.

18 Q. Okay.

19 A. I'll write it right on there. That's the  
20 difference right there.

21 Now, you could argue that a Twin-I-Beam  
22 is a kind of a swing axle. But the basis of  
23 the patent -- What made it unique was the

1 fact the arms crossed over.

2 So it's generally accepted that a swing  
3 axle and Twin-I-Beam are two different  
4 things, although a Twin-I-Beam is a  
5 derivation from the swing axle and much  
6 improved.

7 Q. Did you or anybody in your department to  
8 your knowledge ever perform a formalized  
9 failure mode and effect analysis on the  
10 suspension system of the Bronco II?

11 A. I believe that -- I believe at that time,  
12 some failure modes and effects analyses were  
13 being used. But there was a period of time  
14 under which we stopped doing failure mode  
15 and effects analyses.

16 And we -- we used -- We used a  
17 system -- a system test, a PVS, that --  
18 product verification specification that  
19 would be set up. And the EF specification  
20 was set up for each suspension element to  
21 determine the probable causes of failure and  
22 to make sure those failures didn't occur.

23 So we may have done some. Some may

1           have existed for similar other components.

2           And we may have used a less formal process  
3           at that time, so --

4       Q.    Was there one for the Bronco II suspension  
5           system?

6       A.    Probably not for the suspension system as a  
7           whole, okay, because it was so generic in  
8           terms of -- like a lot of the other  
9           suspensions that we had done. We might have  
10          done only components.

11      Q.    What about for rollover propensity of the  
12          system generally?

13      A.    I don't remember doing one -- I don't  
14          remember doing any specific failure mode and  
15          effect analysis for rollover.

16      Q.    Did they have the same policy in light truck  
17          engineering that they had in the passenger  
18          car engineering with respect to failure mode  
19          and effect analysis?

20      A.    Back then, I'm not aware of an absolute  
21          policy. I think new tooled components, we  
22          were supposed to do -- design FMEAs on the  
23          components.

1           As far as taking and using the failure  
2           mode and effects analysis approach on the  
3           complete system or a whole vehicle, I'm not  
4           aware that that's even done to this day,  
5           so --

6       Q.   Did they have the same policy in light truck  
7           engineering as they had in passenger car,  
8           whatever that may --

9       A.   I don't really know what the official policy  
10          was. We did have a failure mode and effects  
11          guideline. So if you chose to do an FMEA,  
12          you had a guideline to tell you how to do  
13          it.

14                 And I think that was the general  
15                 intent, that on new components or radically  
16                 new designs or concepts or materials, that  
17                 you would do an FMEA. But it was not a  
18                 rigid -- a rigidly-applied discipline.

19       Q.   And you say they suspended it for a period  
20           of time?

21       A.   I think there were some -- there were some  
22           concerns about the quality of the FMEAs that  
23           were being done and how to do them. And so

1           there was -- there was a period of time  
2           where we were trying to decide exactly how  
3           to do them and make them be useful.

4       Q.    Do you know what that time frame was?

5       A.    If I recall, it was the -- it was the mid  
6           seventies to -- it might have been the mid  
7           seventies to the early eighties to the best  
8           of my recollection.  Chuck White would  
9           probably be able to tell you.

10      Q.    In any of the cases that you've been  
11           involved in so far, have there been cases of  
12           quadriplegics?

13      A.    I think there have, but I don't remember  
14           specifically.  I've not really dealt in any  
15           of the areas associated with injuries to  
16           occupants or any of the injuries.  I've  
17           really only provided testimony regarding the  
18           vehicle dynamics, the development of the  
19           vehicle.

20                    And since I haven't sat through all the  
21           trials, I've not been too aware of what the  
22           injuries were.  It was just the general  
23           nature.  But I think there are other

1           quadriplegic cases. There may be other  
2           quadriplegic cases on the docket -- you  
3           know, in the system.

4       Q. Do you recall any of the names of the  
5           plaintiffs that were quadriplegics?

6       A. No, I don't.

7       Q. How many death cases would you say you've  
8           been involved in?

9       A. Where the plaintiff was deceased?

10      Q. Yes.

11      A. One or two I think have been deaths. I  
12           think the others have been injuries.

13      Q. You mentioned you had been in four trials?

14      A. Yes.

15      Q. What were the injuries in those cases?

16      A. One was -- I'm not sure you could see the  
17           injuries there, stress and trauma. One was  
18           an injured arm. That was Denny, I think,  
19           was an injured arm or hand. And as I said,  
20           I don't specifically remember the injuries  
21           in the other cases.

22      Q. I may have asked you this. Did you mention  
23           Max Moore earlier?

1 A. Yes, I did.

2 Q. And is he still with Ford?

3 A. No, he isn't.

4 Q. Okay. Do you know where he is now?

5 A. He's with the Automotive Support Group. And  
6 he's working at Ford on contract, but he  
7 works for the Automotive Support Group which  
8 is located in that building right over  
9 there.

10 Q. What do they do?

11 A. They're consultants. Max left Ford and  
12 worked for General Motors. Then he left  
13 General Motors and worked for Karen and  
14 Company where I worked with Max on the UN46.  
15 And then Max left Karen and Company and  
16 worked for me for a short period of time.  
17 And then since the beginning of the year,  
18 he's been working with the Automotive  
19 Support Group.

20 Q. Do they contract with --

21 A. With the Big Three, yes. The same as  
22 myself.

23 Q. Okay.



1 A. Basically a competitor.

2 Q. Did you ever have a chance to work on the  
3 1966 to 1978 small Bronco?

4 A. I didn't design anything on that vehicle,  
5 but I did have occasion to drive that  
6 vehicle and I did run some handling tests on  
7 that vehicle when I was in development, did  
8 some tire work.

9 Q. Have there been any rollover cases involving  
10 that vehicle?

11 A. I believe there have been rollover cases on  
12 that vehicle.

13 Q. And you've never testified in any of those,  
14 have you?

15 A. Never testified, no.

16 Q. Did it also have a Twin-I-Beam suspension?

17 A. You know, I don't think it did. I think it  
18 had a monobeam suspension, more like the  
19 Jeep.

20 Q. I said '66 to '78. Do you know if that's  
21 the right time period for the --

22 A. It sounds right. I don't know for sure it's  
23 the right years.

1 Q. How would you designate that particular  
2 vehicle if you were going to talk about it?  
3 What do you call it?

4 A. I guess I call that the original Bronco.

5 Q. I know there's a big Bronco.

6 A. That was the Bronco. Now, that went out of  
7 production and we came out with the big  
8 Bronco. Then we came out with the  
9 Bronco II.

10 Q. So the original Bronco, big Bronco,  
11 Bronco II?

12 A. Right.

13 Q. I guess now the Explorer to replace -- Well,  
14 did the Explorer replace the Bronco II?

15 A. Yeah, I guess it did.

16 THE WITNESS: What would you refer  
17 to the original Bronco? The  
18 original Bronco?

19 MR. HINGA: Yes.

20 (Off the Record discussion.)

21 Q. Did you own a Bronco II?

22 A. I've never owned a Bronco II, no.

23

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23

\* \* \* \* \*  
FURTHER DEPONENT SAITH NOT  
\* \* \* \* \*

I, David J. Bickerstaff, hereby  
certify that I have read the foregoing transcript  
of my deposition given on Friday, September 30,  
1994, and it is a true and correct transcript of  
the testimony given by me at the time and place  
stated with the corrections, if any, and the  
reasons therefor noted on a separate sheet of paper  
and attached hereto.

\_\_\_\_\_  
David J. Bickerstaff

SWORN TO AND SUBSCRIBED before me this  
\_\_\_\_ day of \_\_\_\_\_, 19\_\_.

\_\_\_\_\_  
NOTARY PUBLIC

MY COMMISSION EXPIRES:  
\_\_\_\_\_

1                   REPORTER'S CERTIFICATE

2   STATE OF ALABAMA:

3   MONTGOMERY COUNTY:

4                   I, Lisa J. Nix, Registered Professional  
5   Reporter and Commissioner for the State of Alabama  
6   at Large, do hereby certify that I reported the  
7   deposition of:

8                   DAVID J. BICKERSTAFF  
9   who was first duly sworn by me to speak the truth,  
10  the whole truth and nothing but the truth, in the  
11  matter of:

12                   KENT H. CRENSHAW and CARMEN W.  
13                   CRENSHAW,  
14                   Plaintiffs,  
15                   Vs.  
16                   FORD MOTOR COMPANY, et al.,  
17                   Defendants.

18                   In The Circuit Court For  
19                   Lowndes County, Alabama  
20                   Civil Action Number CV-93-75

21   on Friday, September 30, 1994.

22                   The foregoing 259 computer printed pages  
23   contain a true and correct transcript of the

                  HAISLIP, RAGAN, GREEN, STARKIE & WATSON, P.C.  
                  (205) 263-4455

1 examination of said witness by counsel for the  
2 parties set out herein. The reading and signing of  
3 same is hereby not waived.

4 I further certify that I am neither of  
5 kin nor of counsel to the parties to said cause,  
6 nor in any manner interested in the results  
7 thereof.

8 This 10th day of October 1994.

9

10

11

\_\_\_\_\_  
Lisa J. Nix, Registered  
Professional Reporter and  
Commissioner for the State  
of Alabama at Large

12

13

14

15

16

17

18

19

20

21

22

23

